

U.S. Department of Transportation Federal Railroad Administration USDOT Federal Railroad Administration's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention: Volume II—Appendices

Office of Research and Development Washington, D.C. 20590



Safety of Highway-Railroad Grade Crossings

NOTICE

This document is disseminated under the sponsorship of the Department of Transportation in the interest of information exchange. The United States Government assumes no liability for its contents or use thereof.

NOTICE

The United States Government does not endorse products or manufacturers. Trade or manufacturers' names appear herein solely because they are considered essential to the objective of this report.

REPORT DOCUMENTATION PAGE					Form Approved OMB No. 0704-0188
Public reporting burden for this collection of information is estimated to average 1 hour per response, including the time for reviewing instructions, searching existing data sources, gathering and maintaining the data needed, and completing and reviewing the collection of information. Send comments regarding this burden estimate or any other aspect of this collection of information, including suggestions for reducing this burden, to Washington Headquarters Services, Directorate for Information Operations and Reports, 1215 Jefferson Davis Highway, Suite 1204, Arlington, VA 22202-4302, and to the Office of Management and Budget, Paperwork Reduction Project (0704-0188), Washington, DC 20503.					
1. AGENCY USE ONLY (Leave blank)		2. REPORT DATE Januar	y 2010		T TYPE AND DATES COVERED ugust 2009 – October 2009
 4. TITLE AND SUBTITLE USDOT Federal Railroad Administration's Third Research Needs Workshop on Highway- Rail Grade Crossing Safety and Trespass Prevention: Volume II—Appendices 6. AUTHOR(S) Anya A. Carroll and Marco P. daSilva 				5. FUNDING NUMBERS RR97A2/FG347 RR97A2/HG347	
7. PERFORMING ORGANIZATION NAME U.S. Department of Transportatio Research and Innovative Technol John A. Volpe National Transpor	(S) AND AD on ogy Adm	ninistration			8.PERFORMING ORGANIZATION REPORT NUMBER
Cambridge, MA 02142 9. SPONSORING/MONITORING AGENCY U.S. Department of Transportatio Federal Railroad Administration		AND ADDRESS(ES)			DOT-VNTSC-FRA-10-03
Office of Research and DevelopmentDOT/FRA/ORD-10/011200 New Jersey Avenue SE.DOT/FRA/ORD-10/01Washington, DC 20590Control of the second seco					
11. SUPPLEMENTARY NOTES Safety of Highway-Railroad Grac	le Crossii	ngs series			
12a. DISTRIBUTION/AVAILABILITY STAT	EMENT				12b. DISTRIBUTION CODE
This document is available to the public through the Federal Railroad Administration Web site at www.fra.gov.					
13. ABSTRACT (Maximum 200 words) On July 14-16, 2009 the Volpe Center hosted the United States Department of Transportation (US DOT) Federal Railroad Administration's (FRA) Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention (workshop). The primary purpose of this workshop was to bring together nationally and internationally recognized subject matter experts to collaborate, identify and prioritize specific research needs to facilitate the reduction of highway-rail grade crossing and trespass incidents and fatalities for incorporation into the strategic vision of FRA, other US DOT modes and their stakeholders. There were approximately 90 participants, including support staff, over the two-and-a-half day workshop, representing the Federal, State, and local governments, as well as railroads, transit agencies, labor unions, academia, non-profit organizations, and consultants.					
The Research Needs Workshop was organized into six research needs areas and four cross-cutting areas by the steering committee's recommendation. The research needs areas were: Grade Crossing Modernization, Traffic Patterns, New Technology Opportunities, Regulation and Enforcement, Education and Public Awareness and Institutional Issues. The four cross-cutting areas were Human Factors, Transit-Oriented Communities, Data Requirements and Efforts Related to High Speed Rail. This document provides the supporting and ancillary information to the Proceedings report (in Volume I) including presentations and all generated research needs.					
 14. SUBJECT TERMS Highway-rail grade crossing, rail industry, safety, security, fatalities, research needs, trespasser, trespass prevention, railroad 			15. NUMBER OF PAGES 313 16. PRICE CODE		
17. SECURITY CLASSIFICATION OF REPORT Unclassified	OF THIS P	ITY CLASSIFICATION AGE Unclassified	19. SECURITY CLASSIFIC OF ABSTRACT Unclassified		20. LIMITATION OF ABSTRACT

NSN 7540-01-280-5500

ENGLISH	TO METRIC	METRIC TO ENGLISH
	(APPROXIMATE)	LENGTH (APPROXIMATE)
	= 2.5 centimeters (cm)	1 millimeter (mm) = 0.04 inch (in)
1 foot (ft)	= 30 centimeters (cm)	1 centimeter (cm) = 0.4 inch (in)
1 yard (yd)	= 0.9 meter (m)	1 meter (m) = 3.3 feet (ft)
1 mile (mi)	= 1.6 kilometers (km)	1 meter (m) = 1.1 yards (yd)
		1 kilometer (km) = 0.6 mile (mi)
AREA (A	PPROXIMATE)	AREA (APPROXIMATE)
1 square inch (sq in, in ²)	 = 6.5 square centimeters (cm²) 	1 square centimeter (cm ²) = 0.16 square inch (sq in, in ²)
1 square foot (sq ft, ft ²)	= 0.09 square meter (m ²)	1 square meter $(m^2) = 1.2$ square yards (sq yd, yd ²)
1 square yard (sq yd, yd ²)	= 0.8 square meter (m ²)	1 square kilometer (km ²) = 0.4 square mile (sq mi, mi ²)
1 square mile (sq mi, mi ²)	(km²)	10,000 square meters (m ²) = 1 hectare (ha) = 2.5 acres
1 acre = 0.4 hectare (he)	= 4,000 square meters (m ²)	
MASS - WEIG	HT (APPROXIMATE)	MASS - WEIGHT (APPROXIMATE)
1 ounce (oz)	= 28 grams (gm)	1 gram (gm) = 0.036 ounce (oz)
1 pound (lb)	= 0.45 kilogram (kg)	1 kilogram (kg) = 2.2 pounds (lb)
1 short ton = 2,000 pounds (lb)	= 0.9 tonne (t)	1 tonne (t) = 1,000 kilograms (kg) = 1.1 short tons
VOLUME	(APPROXIMATE)	VOLUME (APPROXIMATE)
1 teaspoon (tsp)	= 5 milliliters (ml)	1 milliliter (ml) = 0.03 fluid ounce (fl oz)
1 tablespoon (tbsp)	= 15 milliliters (ml)	1 liter (I) = 2.1 pints (pt)
1 fluid ounce (fl oz)	= 30 milliliters (ml)	1 liter (I) = 1.06 quarts (qt)
	= 0.24 liter (I)	1 liter (I) = 0.26 gallon (gal)
	= 0.47 liter (l)	
	= 0.96 liter (l)	
	 = 3.8 liters (l) = 0.03 cubic meter (m³) 	1 cubic meter (m^3) = 36 cubic feet (cu ft, ft ³)
1 cubic yard (cu yd, yd ³)		1 cubic meter (m ³) = 1.3 cubic yards (cu yd, yd ³)
[(x-32)(5/9)] °F		[(9/5) y + 32] °C = x °F
QUICK	INCH - CENTIME	TER LENGTH CONVERSION
0	1 2	3 4 5
Inches Centimeters		
0 1	ENHEIT - CELSI	0 7 8 9 10 11 12 13 US TEMPERATURE CONVERSIO
°F -40° -22° -4°	14° 32° 50° 68°	° 86° 104° 122° 140° 158° 176° 194° 212°

For more exact and or other conversion factors, see NIST Miscellaneous Publication 286, Units of Weights and Measures. Price \$2.50 SD Catalog No. C13 10286

Acknowledgements

The authors wish to thank Dr. Magdy El-Sibaie, Director of the Office of Research and Development (R&D), United States Department of Transportation (USDOT) Federal Railroad Administration (FRA), Sam Alibrahim, P.E., Chief of the Signals, Train Control &. Communications Division, USDOT FRA, and Leonard W. Allen, III, Program Manager, USDOT FRA, for their insight, guidance and direction in developing this interim report.

The authors also wish to extend special thanks to all of the Steering Committee members for their partnering contributions to the successful planning and conduct of this Research Needs Workshop (RNW). The Steering Committee members included the following:

Leonard W. Allen, III	Federal Railroad Administration, Chair
William Browder	Association of American Railroads
Richard Campbell	Campbell Technology Corporation
Anya A. Carroll	Volpe National Transportation Systems Center
Debra Chappell	Volpe National Transportation Systems Center, Lead
Marco daSilva	Volpe National Transportation Systems Center
Daniel Di Tota	Operation Lifesaver Canada
Mike Flanigon	Federal Transit Administration
Deborah Freund	Federal Motor Carrier Safety Administration
Brian Gilleran	Federal Railroad Administration
William Grizard	American Public Transportation Association
Steven Laffey	Illinois Commerce Commission
Leo Penne	American Association of State, Highway and
	Transportation Officials
Thomas Raslear, Ph.D.	Federal Railroad Administration
Ronald Ries	Federal Railroad Administration
Helen Sramek	Operation Lifesaver, Incorporated
Diane Wigle	National Highway Traffic Safety Administration
Terrell Williams	Federal Transit Administration
Guan Xu	Federal Highway Administration

John P. McGuiggin, Chief, Systems Engineering and Safety Division, USDOT Research and Innovative Technology Administration (RITA) Volpe National Transportation Systems Center (Volpe Center), provided managerial direction and support for the workshop. Anya A. Carroll, National Expert, Multimodal Surface Transportation, Physical Infrastructure Systems Center of Innovation, Volpe Center, and Marco daSilva, Highway-Rail Grade Crossing and Trespass Research Program Manager, Systems Engineering and Safety Division, Volpe Center, provided overall direction for the workshop. Debra Chappell, Systems Engineering and Safety Division, Volpe Center, served as the Team Leader. The RNW logistical support was provided by Patrick Bien-Aime, Steven Peck, Tashi Ngamdung, Adrian Hellman, Dan Kubaczyk, and Erica Squillacioti, of the Systems Engineering and Safety Division, Volpe Center. The RNW Team Facilitators were Rachel Winkeller, Jeff Bryan, Aaron Jette, Suzanne Sloan, Rachael Barolsky, Cassandra Oxley, and David Damm-Luhr of the Volpe Center. Mirna Gustave, Kalle Culotta, Craig Austin, Philip Thornton, Nathan Grace, and Tonya Miller, MacroSys Research Technology, provided web site, web streaming and on-site planning and logistical support and editorial services. Richard Gopen, MicroLan Systems, Inc. provided technical and logistical support for the web streaming.

The authors wish to thank and acknowledge the contributions of all participants for their part in the successful development of the formal research needs.

Contents

Appendix A.	List of Attendees	4
Appendix B.	Agenda, Correspondence, and Forms	15
Appendix C.	Day One Presentations	1 6
Appendix D.	Day Two and Day Three Presentations	4
Appendix E. I	Final Day Discussions and Closing Remarks12	29
Appendix F. A	All Research Needs	27

Appendix A. List of Attendees

Sam Alibrahim, P.E. USDOT, Federal Railroad Administration 1200 New Jersey Avenue, SE MS-20 (W38-412) Washington, DC 20590 Email: sam.alibrahim@dot.gov Phone: (202) 366-0372

Leonard Allen Program Manager USDOT, Federal Railroad Administration 1200 New Jersey Avenue, SE MS-20 (W36-412) Washington, DC 20590 Email: len.allen@dot.gov Phone: (202) 493-6329

Rachael Barolsky (Facilitator) Community Planner U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-22 Cambridge, MA 02142 Email: rachael.barolsky@dot.gov Phone: (617) 494-6352

William (Bill) Barringer Director, Grade Crossing Safety Norfolk Southern 110 Franklin Rd. SE Box 36 Roanoke, VA 24018 Email: william.barringer@nscorp.com Phone: (540) 981 5295

Patrick Bien-Aime Engineer U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-62 Cambridge, MA 02142 Email: patrick.bien-aime@dot.gov Phone: (617) 494-3907 Ed Boni VP Transportation Interactive Elements Incorporated 60 E. 42nd St. New York, NY 10165 Email: eab@ieitransit.com Phone: (212) 490-9090

William Browder Director of Operations Association of American Railroads 50 F Street, N. W. Washington, DC 20176-6049 Email: wbrowder@aar.org Phone: (202) 639-2474

Richard Brown Sr. Vice Prsident Sales TRANSPO Industries, Inc. 20 Jones Street New Rochelle, NY 10801 Email: rbrown@transpo.com Phone: (914) 636-1000

Jeff Bryan (Facilitator) Organizational Development Specialist U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway Cambridge, MA 02142 Email: Jeffrey.Bryan@dot.gov Phone: (617) 494-2061

Richard Campbell President Campbell Technology Corp. 6100 Southwest Blvd. Suite 500 Fort Worth, TX 76109 Email: rcampbell@campbelltech.net Phone: (817) 886-8209 Anya A. Carroll National Expert, Multimodal Surface Transportation Physical Infrastructure Systems Center of Innovation U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway , RVT-60 Cambridge, MA 02142 Email: anya.carroll@dot.gov Phone: (617) 494-3122

Debra M. Chappell General Engineer U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-62 Cambridge, MA 02142 Email: debra.chappell@dot.gov Phone: (202) 366-0236

Paul Chaput President Brotherhood of Locomotive Engineers and Trainmen 544 Main Street Boston, MA 02129 Email: pcchaput@ble57.org Phone: (617) 241-8834

Mark Ciurej Grand Lodge Representative Brotherhood of Railroad Signalmen 117 Jutland Ct. Stephens City, VA 22655-4818 Email: mjc@brs.org Phone: (540) 622-6522 Ext. 566 David Damm-Luhr (Facilitator) Organizational Development Specialist U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-22 Cambridge, MA 02142 Email: david.damm-luhr@dot.gov Phone: (617) 494-2102

Marco daSilva Engineer U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-62 Cambridge, MA 02142 Email: marco.dasilva@dot.gov Phone: (617) 494-2246

R Andrew Davis Consultant Quixote Transportation Safety 253 East 31 Street #1D New York, NY 10016 Email: radavisny@aol.com Phone: (212) 689-8791

Daniel Di Tota National Director Operation Lifesaver, Canada 1401 - 99 Bank Street Ottawa, ON K1P 6B9 Email: dand@railcan.ca Phone: (613) 564-8094 Mike Coltman Chief, Structures and Dynamics Division U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-61 Cambridge, MA 02142 Email: michael.coltman@dot.gov Phone: (617) 494-2591

Carolyn Cook Regional Crossing and Trespass Prevention Manager FRA P.O. Box 152168 Austin, TX 78715-2168 Email: carolyn.cook@dot.gov Phone: (512) 282-8412

Les Fiorenzo Administrator – Region 1 U.S. Department of Transportation Federal Railroad Administration 55 Broadway Cambridge, MA 02142 Email: Les.Fiorenzo@dot.gov Phone: (617) 494-3484

Lou Frangella Regional Crossing/Trespasser Mgr. Federal Railroad Administration 85 Brightonwood Road Glenmont, NY 12077 Email: lou.frangella@dot.gov Phone: (617) 686-7374

Jessica Franklin Admin Coordinator Texas Transportation Institute 3135 TAMUS College Station, TX 77843-3135 Email: j-franklin@tamu.edu Phone: (979) 845-5817 Robert M. Dorer
Director of Physical Infrastructure Systems Center of Innovation
U.S. Department of Transportation
John A. Volpe National Transportation
Systems Center
55 Broadway, RVT-60
Cambridge, MA 02142
Email: robert.dorer@dot.gov
Phone: (617) 494-3481

Dr. Magdy El-Sibaie Director, Office of Research and Development Federal Railroad Administration 1200 New Jersey Avenue, SE RDV-30, W38-306 Washington, DC 20590 Email: magdy.el-sibaie@dot.gov Phone: (202) 366-6359

Lisandra Garay-Vega Engineering Psychologist U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway Street Cambridge, MA 02142 Email: Lisandra.Garay-Vega@dot.gov Phone: (617) 4942-808

Brian Gilleran, P.E.
GX Safety Engineer
US DOT/Federal Railroad Admin.
1200 New Jersey Avenue, S.E.
Washington, DC 20590
Email: brian.gilleran@dot.gov
Phone: (202) 493-6276

Brann Greager Sr Project Manager Jacobs 707 17th Street, Suite 2300 Denver, CO 80202 Email: brann.greager@jacobs.com Phone: (720) 359.3046 Edwin Frazier Jr Railroad Safety Manager HDR Inc 8404 Indian Hills Drive Omaha, NE 68114 Email: efrazier@hdrinc.com Phone: (402) 399-1422

Deborah M. Freund Senior Transportation Specialist FMCSA 1200 New Jersey Ave SE Washington, DC 20590 Email: deborah.freund@dot.gov Phone: (202) 366-5541

Frank Frey Highway-Rail Crossing Coordinator Massachusetts DPU - Transportation Division One South Station, 2nd Floor Boston, MA 02110 Email: Frank.Frey@state.ma.us Phone: (617) 305-3763

Tarah Harkins Community Affairs & Safety CSX Transportation 1 Bells Crossing Road Selkirk, NY 12158 Email: tarah_harkins@csx.com Phone: (518) 767-6057

Adrian Hellman Engineer U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-62 Cambridge, MA 02142 Email: adrian.hellman@dot.gov Phone: (617) -494-2171 William Grizard Director Safety APTA 1666 K Street, NW Suite 1100 Washington, DC 20006 Email: wgrizard@apta.com Phone: (202) 496-4878

Dan Guerrero Manager C&S Engineering SCRRA/Metrolink 700 S. Flower St. 26th Fl. Los Angeles, CA 90017 Email: guerrerod@scrra.net Phone: (213) 452.0217

Jack Hanagriff FRA Law Enforcement Liaison Houston Police Department 1200 Travis Houston, TX 77002 Email: jack.hanagriff@cityofhouston.net Phone: (713) 218-5774

Aaron Jette (Facilitator) Community Planner U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-22 Cambridge, MA 02142 Email: aaron.jette@dot.gov Phone: (617) 494-2335

Dr. Richard John Director Emeritus and Acting Director U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway Cambridge, MA 02142 Email: richard.john@dot.gov Phone: (617) 494-3333 Robert Hoffman Director - Communications Support CSX 500 Water Street J350 Jacksonville, FL 32202 Email: bob_hoffman@csx.com Phone: (904) 359-1223

Jay Holman Senior Special Agent Union Pacific 6455 E. Commerce Avenue Kansas City, MO 64120 Email: jdholman@up.com Phone: (816) 399-1676

Suzanne Horton Operations Research Analyst U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-62 Cambridge, MA 02142 Email: suzanne.horton@dot.gov Phone: (617) 494-3678

Shou-Ren Hu Assistant Professor National Cheng Kung University 1, University Road Tainan, 70101 Email: shouren@mail.ncku.edu.tw Phone: 886-6-2757575 ext. 53203

Daniel LaFontaine Chief Engineering Transport Canada 427 Laurier Ave. West Suite 1410 Ottawa, ON J9J 2M4 Email: daniel.lafontaine@tc.gc.ca Phone: (613) 990-4515 Vijay Kohli President Fulcrum Corporation 2731 Prosperity Ave Fairfax, VA 22031 Email: vkohli@fulcrum-corp.com Phone: (703) 876-9750 Ext. 201

Jim Krieger Engineer Public Works Canadian Pacific 501 Marquette Ave. S. Suite 1510 Minneaplois, MN 55402 Email: jim_krieger@cpr.ca Phone: (612) 904-5994

Daniel Kubaczyk Student Mechanical Engineer Dept of Transportation - Volpe 55 Broadway, RVT-62 Cambridge, MA 02142 Email: daniel.kubaczyk@dot.gov Phone: (617) 494-3591

Stephen Laffey Railroad Safety Specialist Illinois Commerce Commission 527 E Capitol Ave Springfield, IL 62701 Email: slaffey@icc.illinois.gov Phone: (217) 785-9026

John McGuiggin, PE, PMP Chief, Systems Engineering and Safety Division U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway, RVT-62 Cambridge, MA 02142 Email: john.mcguiggin@dot.gov Phone: (617) 494-2574 Ian Lake Inspector Railway Safety Commission Trident House Blackrock Co. Dublin Republic of Ireland Email: ianlake@rsc.ie Phone: +353 1 206 8149

Annette Lapkowski, P.E. Rail Operations Administrator, Operation Lifesaver State Coordinator Florida Department of Transportation 605 Suwannee Street, M.S. 25 Tallahassee, FL 32399-0450 Email: annette.lapkowski@dot.state.fl.us Phone: (850) 414-4541

Dan Lauzon Legislative Representative BLET 7 Highland Rd, Rockport, MA 01966 Email: danlauzon@verizon.net Phone: (978) 808-6923

Karen Marshall Program Development Director American Association of Suicidology 5221 Wisconsin Ave. NW Washington, DC 20015 Email: kmarshall@suicidology.org Phone: (202) 237-2280

David Matsuda Assistant Secretary for Transportation Policy U.S. Department of Transportation 1200 New Jersey, Avenue, SE P-1, W82-312 Washington, DC 20590 Email: david.matsuda@dot.gov Phone: (202) 366-0301 Gina Melnik Engineering Psychologist U.S. Department of Transportation John A. Volpe National Transportation Systems Center 55 Broadway , RVT-81 Cambridge, MA 02142 Email: Gina.Melnik@dot.gov Phone: (617) 494-3935

John Mitchell Deputy Chief of Engineering Operations MBCR / Veolia 32 Cobble Hill Rd. Somerville, MA 02143 Email: john.mitchell@mbcr.net Phone: (617) 222-3613

Lt. Col. Ralph Mitchell Jr. Louisiana State Police 7919 Independence Blvd. Baton Rouge, LA 70806 Email: ralph.mitchell@dps.la.gov Phone: (225) 925-1980

Mark Morrison Grade Crossing Safety Engineer Wisconsin Dept. of Transportation 4802 Sheboygan Ave. PO Box 7914 Madison, WI 53707-7914 Email: mark.morrison@dot.wi.gov Phone: (608) 266-2941

Jordan Multer Manager, Human Factors Rail Program John A. Volpe National Transportation Systems Center 55 Broadway, RVT-81 Cambridge, MA 02142 Email: jordan.multer@dot.gov Phone: (617) 494-2573 Aidan Nelson Director Community Safety Partnerships Ltd 38 Nob Hill Road Chatham, MA 02633 Email: aidannelson@comsafetypartners.com Phone: (508) 945 5240

Oi Kei Ng Graduate Student University of Waterloo 200 University Ave W Waterloo, ON L4B3E7 Email: okng@uwaterloo.ca Phone: (519) 590-5280

Tashi Ngamdung Operations Research Analyst Dept of Transportation - Volpe 55 Broadway, RVT-62 Cambridge, MA 02142 Email: tashi.ngamdung@dot.gov Phone: (617) 494-3918

Paul O'Brien Rail Service General Manager Utah Transit Authority 613 West 6960 South Midvale, UT 84094 Email: pobrien@rideuta.com Phone: 801 352-6731

Ed O'Connor Executive Director Massachusetts Operation Lifesaver 7 Jason Drive Carver, MA 02330 Email: eoc13@comcast.net Phone: (508) 287-3610 Lorraine Pacocha Senior Project Coordinator MBTA 500 Arborway Jamaica Plain, MA 02130 Email: lpacocha@mbta.com Phone: (617) 222-1668

Steven Peck Mechanical Engineer Dept of Transportation - Volpe 55 Broadway, RVT-62 Cambridge, MA 02142 Email: steven.peck@dot.gov Phone: (617) 494-3929

David Peterson Sr. Manager Ind. & Public Projects Union Pacific Railroad 1400 Douglas Street STOP 0910 Omaha, NE 68179 Email: depeters@up.com Phone: (402) 544-5891

Richard Pew Principal Scientist BBN Technologies 10 Moulton St Cambridge, MA 02138 Email: pew@bbn.com Phone: (617) 873-3557

Phillip Poichuk Senior Engineer, Crossings Rail Safety, Transport Canada 427 Laurier Avenue West Suite 1410 Ottawa, ON K1A 0N5 Email: phil.poichuk@tc.gc.ca Phone: (613) 990-7498 Brent Ogden Vice President AECOM 2101 Webster St. Suite 1900 Oakland, CA 94612 Email: brent.ogden@aecom.com Phone: (510) 622-6604

Thomas Raslear Engineering Psychologist USDOT, Federal Railroad Administration 1200 New Jersey Avenue, SE MS-20 (W36-326) Washington, DC 20590 Email: thomas.raslear@dot.gov Phone: (202) 493-6356

Robert Redmond Senior Transportation Specialist Federal Motor Carrier Safety Administration MC-ESL (W65-227) 1200 New Jersey Avenue, SE Washington, DC 20590 Email: robert.redmond@dot.gov Phone: (202) 366-5014

Alvin Richardson, Sr. Senior Safety Coordinator Amtrak 40 Massachusetts Ave NE Washington, DC 20002 Email: richara@amtrak.com Phone: (202) 906-3434

Ronald Ries Staff Director, Crossing Safety & Trespass Prevention Division Federal Railroad Administration 1200 New Jersey Ave., SE, MS-25 Washington, DC 20590 Email: ronald.ries@dot.gov Phone: (202) 493-6285 Thomas Potter President Reno A&E 4655 Aircenter Circle Reno, NV 89507 Email: tomsr@renoae.com Phone: (775) 826-2020

Joy Schaad Sr Transportation Planner Chicago Metropolitan Agency for Planning 233 S. Wacker Dr. Suite 800 Chicago, IL 60606 Email: jschaad@cmap.illinois.gov Phone: (312) 386-8810

John Sharkey Vice president Technology Campbell Technology Corp 37W890 Acorn Lane Elgin, IL 60124 Email: jsharkey@campbelltech.net Phone: (817) 781-7304

John Shurson Assistant Director Public Projects BNSF Railway Company 740 East Carnegie Drive San Bernardino, CA 92408 Email: john.shurson@bnsf.com Phone: (909) 386-4470

Suzanne Sloan (Facilitator) Transportation Industry Analyst U.S. Department of Transportation-Volpe Center John A. Volpe National Transportation Systems Center 55 Broadway, RVT-91 Cambridge, MA 02142 Email: suzanne.sloan@dot.gov Phone: (617) 494-3282 Hadar Rosenhand Engineering Psychologist John A. Volpe National Transportation Systems Center 55 Broadway, RVT-81 Cambridge, MA 02142 Email: hadar.rosenhand@dot.gov Phone: (617) 494-2032

Gerard Ruggiero Deputy Director of Safety MBTA 21 Arlington Ave. Charlestown, MA 02129 Email: gruggiero@mbta.com Phone: (617) 222-1978 Ext. gerard23

Helen Sramek President Operation Lifesaver, Inc. 1420 King Street, Suite 401 Alexandria, VA 22314 Email: hsramek@oli.org Phone: 703 739-1065

Cliff Stayton Director- Community Affairs and Safety CSX Transportation 500 Water Street Jacksonville, FL 32202 Email: cliff_stayton@csx.com Phone: 904 366-5049

Jo Strang Associate Administrator for Safety/ Chief Safety Officer Federal Railroad Administration 1200 New Jersey Avenue RDV-2, W35-328 Washington, DC 20590 Email: jo.strang@dot.gov Phone: (202) 493-6304 James Sottile Senior Vice President PVB Consulting Group 83 Pinedale St. Southbridge, MA 01550-2341 Email: jimsott@bellatlantic.net Phone: 508 765-5064

Erica Squillacioti Student Mechanical Engineer John A. Volpe National Transportation Systems Center 55 Broadway, RVT-62 Cambridge, MA 02142 Email: erica.squillacioti@dot.gov Phone: (617) 494-2622

Scott Windley Accessibility Specialist US Access Board 1331 F Street NW Suite 1000 Washington, DC 20004 Email: windley@access-board.gov Phone: (202) 272-0025

Rachel Winkeller (Facilitator)
Chief, Transportation Policy, Planning and Organizational Excellence Division
John A. Volpe National Transportation
Systems Center
55 Broadway, RVT-22
Cambridge, MA 02142
Email: rachel.winkeller@dot.gov
Phone: (617) 494-3260

Paul C. Worley, CPM Director, Enginering & Safety NC Department of Transportation 1556 Mail Service Center Raleigh, NC 27699-1556 Email: pworley@ncdot.gov Phone: 919 715-8740 Richard Towle Law Enforcement Liaison Officer Federal Railroad Administration 55 Broadway Cambridge, MA 02142 Email: bgrtrainman1@aol.com Phone: (617) 494-2302

Sesto Vespa Chief - Technology Applications Transport Canada - Transportation Development Centre Tower C, Place de Ville 330 Sparks Street, Floor 26 Ottawa, ON K1A 0N5 Email: sesto.vespa@tc.gc.ca Phone: 514 283-0059 Guan Xu Highway Engineer Federal Highway Administration/Office of Safety 1200 New Jersey Ave. SE Washington,, DC 20590 Email: guan.xu@dot.gov Phone: (202) 366-5892

Michelle Yeh Engineering Psychologist John A. Volpe National Transportation Systems Center 55 Broadway, RVT-81 Cambridge, MA 02142 Email: Michelle.Yeh@dot.gov Phone: (617) 494-3459

Appendix B. Agenda, Correspondence, and Forms

Contents

Agenda Steering Committee Letter Speaker Letter Invitee Letter Breakout Working Group Assignments Sample Research Need Form Ballot Letter Ballot Evaluation Form

Agenda

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention

John A. Volpe National Transportation Systems Center July 14-16, 2009 • Cambridge, Massachusetts

JULY 14, 2009

8:00 AM	REGISTRATION AND CONTINENTAL BREAKFAST -
	AUDITORIUM LOBBY (BUILDING 2)
	U.S. Department of Transportation
	Research and Innovative Technology Administration's
	John A. Volpe National Transportation Systems Center (Volpe Center)
8:30 AM	WELCOME
	Robert Dorer, Director of Physical Infrastructure Systems Center of Innovation
	Volpe Center
	Richard R. John, Acting Director, Director Emeritus Volpe Center
8:45 AM	Opening Remarks
01101111	 David Matsuda, Acting Assistant Secretary for Transportation Policy
	U.S. Department of Transportation
	• Jo Strang, Associate Administrator for Railroad Safety and Chief Safety Officer
	Federal Railroad Administration
	• Dr. Magdy El-Sibaie, Director, Office of Research and Development Federal Railroad Administration
9:30 AM	GENERAL SESSION PRESENTATION
	Level Crossing Needs: Thoughts from Overseas
	Aidan E. C. Nelson, Co-Director
	Community Safety Partnerships, Ltd. (United Kingdom)
9:50 AM	WORKSHOP PARTICULARS
	John McGuiggin, PE, PMP
	Chief, Systems Engineering and Safety Division Volpe Center
10:00 AM	HUMAN FACTORS: A RESEARCH NEEDS CROSS-CUTTING
	AREA
	Applying a Sociotechnical Framework for Improving Safety at Highway-Railroad Grade Crossings

Jordan Multer, Ph.D., Manager, Rail Human Factors Program Volpe Center

10:30 AM Break

10:45 AM GRADE CROSSING MODERNIZATION TEAM LEADER: BRIAN GILLERAN, FEDERAL RAILROAD ADMINISTRATION

This research needs area will focus on the identification and evaluation of conventional and enhanced systems at or near highway-rail grade crossings. The research in this area lays a foundation for the development of innovative technologies, methodologies, and countermeasures with a potential high return for R&D.

Speakers:

Accessibility Issues at Highway-Rail Grade Crossings

David Peterson, Senior Manager, Industry and Public Projects Union Pacific Railroad

Education and Analysis—Highway-Rail Grade Crossings in the Modern World

Paul O'Brien, Rail Service General Manager Utah Transit Authority

11:30 AM **TRAFFIC PATTERNS** TEAM LEADER: ANYA A. CARROLL, VOLPE CENTER

This research needs area will focus on creating a better understanding of the highway traffic pattern and its impact on highway-rail grade crossing safety and railroad infrastructure. The research in this area will support the need to plan and implement efficient rail corridors and highway/pedestrian geometric features to reduce delays and congestion, thereby increasing throughput of the railroad and highway networks.

Speakers:

Roundabouts at or Near Highway-Rail Grade Crossings

Mark Morrison, Grade Crossing Safety Engineer Wisconsin Department of Transportation

The Massachusetts Bay Transportation Authority: Lessons Learned

Gerard J. Ruggiero, WSO-CSS, Deputy Director of Safety Massachusetts Bay Transportation Authority Safety Department

Lorraine M. Pacocha, Senior Project Coordinator Massachusetts Bay Transportation Authority Design and Construction Department

12:15 PM **LUNCH (ON** YOUR OWN)

1:30 PM New Technology Opportunities Team Leader: Rick Campbell, Campbell Technology Corporation

This research needs area targets various innovative technologies and technology transfer opportunities to test for applicability (and implementation if deemed a valuable tool) within the rail infrastructure. The research in this area will allow for the development and/or assessment of techniques or technologies that reduce incidents along the railroad rights-of-way, as well as to enhance congestion mitigation of the rail's infrastructure.

Speakers:

Queue-Cutter Signals at Highway-Rail Grade Crossings Brent Ogden, Vice President AECOM

Effectiveness of LED Signs at Passive Crossings

John Shurson, Assistant Director of Public Projects Burlington Northern Santa Fe Railway Company

Warrants for Pedestrian Treatments at Highway-Rail Grade Crossings Dan Guerrero, Director of Communications and Signals Metrolink Los Angeles

2:15 PM REGULATION AND ENFORCEMENT TEAM LEADER: DEBORAH M. FREUND, FEDERAL MOTOR CARRIER SAFETY ADMINISTRATION

This research needs area targets a review and analysis of current regulations, policies, and programs to enhance safety along the railroad rights-of-way. The research in this area will facilitate standardization of regulation and enforcement efforts nationwide, which has the potential to reduce the number of violation and incident rates.

Speakers:

Commercial Driver's License Program

Robert (Bob) Redmond, Senior Transportation Specialist Federal Motor Carrier Safety Administration

Enforcement Issues at Highway-Rail Grade Crossings

LTC. Ralph D. Mitchell, Jr., Patrol Commander Louisiana State Police

Safety and Enforcement: A Local and Regional Perspective

Jack C. Hanagriff, Senior Police Officer Houston Police Department Neighborhood Protection Corps

3:15 PM Break

3:30 PM EDUCATION AND PUBLIC AWARENESS TEAM LEADERS: HELEN SRAMEK, OPERATION LIFESAVER, INC. (USA) DANIEL DI TOTA, OPERATION LIFESAVER (CANADA)

This research needs area targets the outreach aspect of highway-rail grade crossing safety and trespass prevention.

Speakers:

New Outreach Technologies: Florida Operation Lifesaver's Perspective Annette Lapkowski, Rail Operations Administrator Florida Department of Transportation

Public Education and Enforcement Research Study (PEERS) Suzanne M. Horton, Operations Research Analyst Volpe Center

Operation Lifesaver Data Collection – Power of the Internet Daniel Di Tota, National Director Operation Lifesaver, Canada

4:30 PM INSTITUTIONAL ISSUES TEAM LEADER: STEVE LAFFEY, ILLINOIS COMMERCE COMMISSION

This research area will focus on the successes and challenges related to planning and implementing programs at the industry, local, state, and national levels. The research will provide agencies/organizations with decision-making concepts and methodologies to embrace and implement as a means to update and/or advance safety programs in a comprehensive and cost-effective manner.

Speakers:

John Shurson, Assistant Director of Public Projects Burlington Northern Santa Fe Railway Company

Karen M. Marshall, Program Development Director American Association of Suicidology

Ronald E. Ries, Staff Director

Highway-Rail Grade Crossing and Trespasser Prevention Division Federal Railroad Administration

5:30 PM	ANNOUNCEMENTS AND ADJOURNMENT FOR THE DAY
---------	---

6:30–8:30 PM RECEPTION-CAMBRIDGE MARRIOTT HOTEL, SALONS I AND II

JULY 15, 2009

8:30 AM CONTINENTAL BREAKFAST-AUDITORIUM LOBBY (BUILDING 2)

9:00 AM WELCOME

Organization of Working Groups and "Rules of Engagement" Marco P. daSilva, Team Leader Volpe Center

Introduction of Research Needs Workshop Team Leaders and Facilitators Debra (Dee) Chappell, Grade Crossing Team Liaison Volpe Center

Grade Crossing Modernization (Green Team)	• Regulation and Enforcement (Yellow Team)
Team Leader: Brian Gilleran	Team Leader: Deborah M. Freund
Facilitator: Rachel Winkeller	Facilitator: Cassandra Allwell
Team Assistant: Steve Peck/Erica Squillacioti	Team Assistant: Adrian Hellman
Location: Room 625 (Building 1)	Location: Room 120 (Building 2)
• Traffic Patterns (Purple Team)	• Education and Public Awareness (Red Team)
Team Leader: Anya A. Carroll	Team Leader: Helen Sramek/Daniel Di Tota
Facilitator: Jeff Bryan	Facilitator: Rachael Barolsky
Team Assistant: Patrick Bien-Aime	Team Assistant: Tashi Ngamdung
Location: Room 143 (Building 2)–Learning	Location: Reserved Dining Room 4 (Building 1,
Center	Second Floor)
New Technology Opportunities (Orange	• Institutional Issues (Blue Team)
Team)	Team Leader: Steve Laffey
Team Leader: Rick Campbell	Facilitator: David Damm-Luhr
Facilitator: Aaron Jette	Team Assistant: Marco P. daSilva
Team Assistant: Debra Chappell/Dan Kubacyzk	Location: Reserved Dining Room 4 (Building 1)
Location: Room 519 (Building 1)	

9:30 AM WORKING GROUPS BREAKOUT

 12:00 PM LUNCH BOX LUNCH INCLUDED IN THE COST OF REGISTRATION
 1:00 PM WORKING GROUPS RESUME
 5:00 PM ADJOURNMENT FOR THE DAY

July 16, 2009

8:00 AM	CONTINENTAL BREAKFAST-AUDITORIUM LOBBY (BLG. 2)
8:30 AM	WELCOME AND WORKING GROUP TOP FIVE SUMMARIES Facilitator: Marco P. daSilva
	 Grade Crossing Modernization – Brian Gilleran Traffic Patterns – Anya A. Carroll New Technology Opportunities – Rick Campbell Regulation and Enforcement – Deborah M. Freund Education and Public Awareness – Helen Sramek and Daniel Di Tota Institutional Issues – Steve Laffey
9:45 AM	Break
10:00 AM	RESEARCH NEEDS DISCUSSION AND PRIORITIZATION Facilitator: Anya A. Carroll, National Expert, Multimodal Surface Transportation Physical Infrastructure Systems Center of Innovation Volpe Center
11:00 AM	FINAL THOUGHTS Len W. Allen, Program Manager and Workshop Steering Committee Chair Federal Railroad Administration
11:15 AM	LUNCH (ON YOUR OWN)
12:30 PM	OPTIONAL TOUR (PRE-REGISTRATION REQUIRED) Massachusetts Bay Transportation Authority (MBTA) Silver Line Control Room and Transitway Tour

3:30 PM CONCLUSION OF WORKSHOP

Steering Committee Letter



1200 New Jersey Avenue, SE. Washington, D.C. 20590

Federal Railroad Administration

Name Title Address City, State Zip

Dear <Name>:

The Third Research Needs Workshop on *Highway-Rail Grade Crossing Safety and Trespasser Prevention,* sponsored by the Federal Railroad Administration (FRA) and coordinated and hosted by the John A. Volpe National Transportation Systems Center, will be held Monday, June 15th through Wednesday, June 17th in Cambridge, MA. The primary objective of this workshop is to identify specific high priority research needs related to technology, human factors, methodology, and education that will lead to a reduction of highway-rail grade crossing and trespasser injuries and fatalities.

You are nominated to participate on the workshop steering committee due to your level of expertise in this area. The role of the steering committee is to: recommend topic areas, identify speakers and delegates, refine the agenda, and participate in the workshop. Six members of the steering committee will also lead working groups during the workshop. In order to minimize the impact of the steering committee activities on your schedule, we plan to have two teleconference calls, one on February 3rd and the other sometime in April. Follow-up action items will be handled by e-mail. The workshop draft agenda is enclosed for you r review.

Please notify Debra Chappell as to whether or not you accept this steering committee nomination as soon as possible at (202) 366-0236 or debra.chappell@dot.gov.

Sincerely,

Dr. Magdy El-Sibaie Director, Office of Research and Development

Enclosure

Speaker Letter



U.S. Department of Transportation

Research and Innovative Technology Administration John A. Volpe National Transportation Systems Center 55 Broadway Cambridge, Massachusetts 02142-1093

<Date>

Name Title Address City, State Zip

Dear <Name>,

You have been nominated to participate at the Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention, which will be held July 14-16 at the USDOT Research Innovation and Technology Administration's John A. Volpe National Transportation Systems Center (Volpe Center) in Cambridge, MA. The Research Needs Workshop (RNW) is sponsored by the USDOT Federal Railroad Administration, and coordinated and hosted by the Volpe Center. The primary purpose of the RNW is to bring together subject matter experts to collaborate, identify and prioritize specific research needs related to technology, human factors, methodology, and education to facilitate the reduction of highway-rail grade crossing and trespass incidents and fatalities for incorporation into the USDOT Federal Railroad Administration's, other USDOT modes and stakeholders strategic vision.

You were recommended by <Name> of the <Organization> as an excellent speaker on <topic area> at highway-rail grade crossings and/or along the railroad's rights-of-way. The agenda and additional RNW information can be found online at

http://www.macrosysrt.com/conference/FRA3rdresearch/default.html

The RNW will take place over two and one half days, starting on Tuesday, July 14 and ending midday on Thursday, July 16. The first day will be dedicated to reviewing the current status of research with three presentations each and/or panel discussion on the following topic areas:

- Grade Crossing Modernization
- Traffic Patterns
- New Technology Opportunities

- Regulation and Enforcement
- Education and Public Awareness
- Institutional Issues

The second day will be used to identify previously established research needs that have been completed, and generate additional research needs. The third and final day will be used to review selected research needs by topic area and a tour of the Massachusetts Bay Transportation Authority's (MBTA) Silver Line Control Center and Transit Way (space for the tour is limited).

We have secured rooms at the Cambridge Marriott Hotel at the RNW rate of \$189. To reserve your room, contact the hotel directly (617) 494-6600, and indicate that you are part of the *DOT FRA Meeting*. Discounted rate deadline is **Monday**, **July 3**. The number of discounted rooms is limited. It is recommended that you reserve your room as soon as possible to avoid missing out on the discount. The RNW registration fee for speakers has been waived. I will be in contact with you to gather logistical information necessary for the Workshop.

Please let me know as to whether or not you accept this speaking nomination as soon as possible with a suspense date of two weeks from the date of this letter of invitation. Thank you very much for your consideration of this important activity.

Sincerely,

Debra M Chappell

Debra M. Chappell Research Needs Workshop Conference Coordinator

Attachment

cc: File

Invitee Letter



U.S. Department of Transportation

Research and Innovative Technology Administration John A. Volpe National Transportation Systems Center 55 Broadway Cambridge, Massachusetts 02142-1093

<Date>

Name Title Address City, State Zip

Dear <Name>,

You have been nominated to participate at the *Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention*, which will be held July 14-16 at the USDOT Research Innovation and Technology Administration's John A. Volpe National Transportation Systems Center (Volpe Center) in Cambridge, MA. The Workshop is sponsored by the USDOT Federal Railroad Administration, and coordinated and hosted by the Volpe Center. The primary purpose of the workshop is to bring together subject matter experts to collaborate, identify and prioritize specific research needs related to technology, human factors, methodology, and education to facilitate the reduction of highway-rail grade crossing and trespass incidents and fatalities for incorporation into the USDOT Federal Railroad Administration's, other USDOT modes and stakeholders strategic vision.

Your nomination was received by the Research Needs Workshop Steering Committee, and is based on your expertise and leadership on highway-rail grade crossing safety and trespass prevention. Details of the workshop, including registration, are located online at:

http://www.macrosysrt.com/conference/FRA3rdresearch/default.html Research Needs Workshop Invitation Code: FRAVOLPE

The Workshop length will take place over two and one half days, starting on Tuesday, July 14 and ending midday on Thursday, July 16. The first day will be dedicated to reviewing the current status of research with three presentations each and/or panel discussion on the following topic areas:

- Grade Crossing Modernization
- Traffic Patterns
- New Technology Opportunities

- Regulation and Enforcement
- Education and Public Awareness
- Institutional Issues

The second day will be used to identify previously established research needs that have been completed, and generate additional research needs. The third and final day will be used to review selected research needs by topic area and a tour of the Massachusetts Bay Transportation Authority's (MBTA) Silver Line control center and transitway (space is limited for the tour).

Please let me know no later than June 22 as to whether or not you accept this nomination. I can be reached at debra.chappell@dot.gov or (202) 366-0236. Thank you very much for your consideration of this important activity.

Sincerely,

Debra M Chappell

Debra M. Chappell Research Needs Workshop Conference Coordinator

Attachment

cc: File

Breakout Working Group Assignments

Grade Crossing Modernization Working Group

Name	Organization
Brian Gilleran (Team Leader)	FRA
Rachel Winkeller (Facilitator)	Volpe Center
Steve Peck (Team Assistant)	Volpe Center
Erica Squillacioti (Team Assistant)	Volpe Center
Leonard Allen	FRA
William Barringer	Norfolk Southern Corporation
Ed Boni	Interactive elements Incorporated
Mark Ciurej	Brotherhood of Railroad Signalmen
Jessica Franklin	Texas Transportation Institute
Frank Frey	Massachusetts Department of Public Utilities
Paul O'Brien	Utah Transit Authority
Ed O'Connor	Massachusetts Operation Lifesaver
David Peterson	Union Pacific Railroad
Phillip Poichuck	Transport Canada
Scott Windley	U.S. Access Board
Paul Worley	North Carolina Department of Transportation

Traffic Patterns Working Group

Name	Organization
Anya Carroll (Team Leader)	Volpe Center
Jeff Bryan (Facilitator)	Volpe Center
Patrick Bien-Aime (Team Assistant)	Volpe Center
Jim Krieger	Canadian Pacific
Carolyn Cook	FRA
Shou-Ren Hu	National Cheng Kung University, Taiwan
Chip Frazier	HDR, Inc.
Oi Kei Ng	University of Waterloo, Canada
John Mitchell	Massachusetts Bay Commuter Rail
Brann Greager	Jacobs Consulting
Daniel LaFontaine	Transport Canada
Mark Morrison	Wisconsin DOT
Lisandra Garay-Vega	Volpe Center

Name	Organization
Rick Campbell (Team Leader)	Campbell Technology Corporation
Aaron Jette (Facilitator)	Volpe Center
Debra Chappell (Team	Volpe Center
Assistant)	
Dan Kubaczyk (Team Assistant)	Volpe Center
Paul Chaput	Brotherhood of Locomotive Engineers and Trainmen
Andy Davis	Quixote Transportation Safety
Bill Grizard	АРТА
Dan Guerrero	SCRRA/Metrolink
Bob Hoffman	CSX
Vijay Kohli	Fulcrum Corporation
Brent Ogden	AECOM
Dick Pew	BBN Technologies
Tom Potter	Reno A&E
John Sharkey	Campbell Technology Corporation
Sesto Vespa	Transport Canada
Michelle Yeh	Volpe Center

New Technology Opportunities Working Group

Regulations and Enforcement Working Group

Name	Organization
Deborah Freund (Team Leader)	Federal Motor Carrier Safety Administration
	(FMCSA)
Suzanne Sloan (Facilitator)	Volpe Center
Adrian Hellman (Team	Volpe Center
Assistant)	
Richard Brown	TRANSPO Industries
Lou Frangella	FRA
Jack Hanagriff	Houston Police Department
Dan Lauzon	Brotherhood of Locomotive Engineers and Trainmen
Gina Melnik	Volpe Center
LTC Ralph Mitchell	Louisiana State Police
Dr. Thomas Raslear	FRA
Robert Redmond	FMCSA
Gerald Ruggiero	Massachusetts Bay Transportation Authority (MBTA)
James Sottile	PVB Consulting Group
Guan Xu	FHWA

Name	Organization
Helen Sramek (Team Leader)	Operation Lifesaver (OLI)
Daniel Di Tota (Team Leader)	OL Canada
Rachael Barolsky (Facilitator)	Volpe Center
Tashi Ngamdung (Team Assistant)	Volpe Center
Tarah Harkins	CSX Transportation
Annette Lapkowski	Florida Department of Transportation
Cliff Strayton	CSX Transportation
Alvin Richardson, Sr.	Amtrak
Suzanne Horton	Volpe Center
Hadar Rosenhand	Volpe Center
Richard Towle	FRA
Lorraine Pacocha	MBTA

Education and Public Awareness Working Group

Institutional Issues Working Group

Name	Organization
Steven Laffey (Team Leader)	Illinois Commerce Commission
David Damm-Luhr (Facilitator)	Volpe Center
Marco daSilva (Team Assistant)	Volpe Center
William Browder	Association of American Railroads
Ian Lake	Railway Safety Commission (Ireland)
Jay Holman	Union Pacific
Karen Marshall	American Association of Suicidology
Jordan Multer	Volpe Center
Ronald Ries	FRA
Joy Schaad	Chicago Metropolitan Agency for Planning
John Shurson	Burlington Northern Santa Fe Railway Corporation

Sample Research Need Form

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention

Г



٦

Research Needs Project Template: Instruction Sheet

Section	Description
1. Research Needs Area	Enter the name of one of the six Research Needs Areas:
1. Research Reeds Thea	Grade Crossing Modernization (GCM)
	• Traffic Patterns (TP)
	New Technology Opportunities (NTO)
	• Regulations and Enforcement (RE)
	• Education and Public Awareness (EPA)
	• Institutional Issues (II)
2. Research Topic Area /	Enter the Abbreviation of the Research Needs Area and the sequential order of the
Number	proposed projects in this Research Needs Area (e.g., TP-1, TP-2, etc.). Abbreviations
	are located under the Research Needs Area above.
3. Title	Enter the name of the proposed project
4. Project Statement	Provide a brief description of the following:
	• The issue(s)/challenge(s) to be addressed
	• The purpose of the project
	• The expected outcome(s)
5. Cross-Cutting Areas	Mark an X if this project will specifically address a cross-cutting area (or areas):
	Human factors
	Transit-oriented communities
	Data requirements
	Efforts related to high Speed Rail
6. Relationship to Current	
Research	Indicate whether this is a new project or a follow-on to previous research.
7. Potential Benefit(s) of	
Identified Research Need	Briefly describe the positive tangible and non-tangible (but beneficial) outcomes that
Area	are expected to result from such a project. If possible, indicate whether it would be a
	short- or long-term benefit (short term = 5 years or less; long term $>$ 5 years) and who
	would be the benefactors.
8. Research Need Urgency	
	Mark an X to indicate the level of criticality of the need for this research project, e.g.,
	high-priority, medium priority (strong consideration), or low priority (closely
	monitored for future action).
9. Cost of Research	
	Mark an X to indicate the total estimated cost to conduct the research.
10. Potential	
Organization(s) to Conduct	Provide the specific name(s) or organization type(s) that should conduct the research.
Research	For example:
	Specific name: FRA, AREMA, AAR, Volpe Center, OLI, et. al.
	Categories: Highway agencies, industry, railroads, international collaboration,
	academia, consultants, unions, non-union organizations, et. al.

11. Ease of Implementation	
	Mark an X to indicate the anticipated level of difficulty to implement the results of the research. If medium or difficult, please explain what the key implementation issues are.
12. Other Comments	
	Provide any supplemental information that could provide insight on items of interest or concern related to this project. <i>Example: potential to combine with other Research Needs Areas.</i>

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention



2. Research Topic Area / Number 3. Title 4. Project Statement 5. Cross-Cutting Areas Please mark a mark an X next to Human Factors Transit-Oriented Communities
4. Project Statement 5. Cross-Cutting Areas
5. Cross-Cutting Areas Human Factors
<i>u</i>
the applicable area(s) Data Requirements
High Speed Rail
6. Relationship to Current New Supplemental (list organization & title of current
Research research)
7. Potential Benefit(s) of
Identified Research Need Area
8. Research Need Urgency HighMediumLow
9. Cost of Research $High > $500K$ $Medium = $150K - $500K$ Low
< \$150K
10. Potential Organization(s) to
Conduct Research
11. Ease of Implementation Easy Medium Difficult
If medium or difficult, list key Issues:
implementation issues
12. Other Comments
Ballot Letter

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention



Prioritization of Projects from the Third Research Needs Workshop on Highway-Rail Grade Crossing and Trespass Prevention (RNW)

Instructions

Please email to debra.chappell@dot.gov by COB August 19, 2009

Dear RNW Attendees:

Thank you for your attendance and input at the RNW. As discussed during Anya A. Carroll's presentation and discussion on July 16, 2009 the effort to prioritize the Top 33 projects would be completed via an electronic document. This document provides you the opportunity to review the top five or six projects developed during the July 15, 2009 breakout sessions, and to assist you with establishing your thoughts on research needs for highway-rail grade crossing safety and trespass prevention.

The next page contains the form to be used to prioritize the projects developed at the RNW. As you select projects, please place a number next to each title in order of need. If you feel that a certain project has the highest priority, then place a "1" next to the project title. Please place a "2" to the project with the second highest priority, and so forth for all 33 projects.

It is important to note that this effort is to prioritize the 33 projects *as a whole*, and *not* by research need area. For example, John Doe may mark TP-3 with a "1" for the highest priority research need and II-3 with a "2" for the second highest priority need, and so forth.

The one-page project write-ups are also enclosed for your reference.

Please email your choices to Debra (Dee) Chappell no later than Friday, August 14 at debra.chappell@dot.gov. If you have any questions, please email or call Dee at (202) 366-0236.

Thank you for your assistance.

Sincerely,

Debra M Chappell

Debra (Dee) Chappell RNW Coordinator

Ballot

TOP 33 PROJECTS DEVELOPED AT THE FRA'S THIRD RESEARCH NEEDS WORKSHOP ON HIGHWAY-RAIL GRADE CROSSING AND TRESPASS PREVENTION

Rank	Project Number*	Title
	EPA-1	Evaluation of Social Media Outreach
	EPA-2	Evaluation of Existing Education and Outreach Strategies
	EPA-3	Crossing Consolidation Education
		Evaluate Effectiveness and Potential Motorist & Pedestrian Signage and
	EPA-4	Treatments
	EPA-5	Evaluate the Effectiveness of Mobile Warning Devices When Approaching
	EFA-J	Grade Crossings
	GCM-1	Warning Device Minimum Requirement for 80-110 MPH Trains
	GCM-2	Flangeway Gap Solutions
	GCM-3	Global Positioning Satellite (GPS)/Positive Train Control (PTC) Constant Warning Time
	GCM-4	Second Train Warning Devices for Pedestrian Crossings
	GCM-5	Personal Detection Device for Railroad Workers
	II-1	Establishment of a Railroad/Transit Data Clearinghouse
	II-2	Cost/Benefit analysis of Grade Crossing Improvements
		Synthesis to Evaluate How, When, and Where Human Perception Negatively
	II-3	Impacts Rail Safety
	II-4	Institutionalize Evaluation as a Key component of Project/Program
		(countermeasure) Design and Implementation
	II-5	Improved Effectiveness of Stakeholder Interaction
	II-6	Identify Opportunities to Make Legislation and Regulations Across
		Jurisdictions Compatible, Meaningful and Up-to-Date
	NTO-1	Alternative Sensors and Warning Systems for Vital Applications
	NTO-2	Pedestrian, Non-Motorized and Limited Mobility Treatments
	NTO-3	On-Track Vehicle Detection
	NTO-4	Effectiveness of LED Enhanced Grade Crossing Traffic Signs
	NTO-5	Minimum Traffic Control Devices for High-speed Train (HST, formerly known as HSR) HRGC
	NTO-6	Enhanced Commercial Systems to Improve HRGC Safety
	RE-1	Data Needs for Proactive Enforcement
	RE-2	Collecting and Analyzing Trespass Data
	RE-3	Photo Enforcement at HRGXs
	RE-4	Regulations and Signage: No-Train-Horn Xings
	RE-5	National Campaign for Targeted Seasonal Enforcement Programs
	TP-1	Application of Warning Devices/Treatments at High Speed Rail Corridors
	TP-2	Highway Traffic Signal Pre-emption at Highway-Rail Grade Crossings
	TP-3	Effectiveness of Gates for Pedestrians
	TP-4	Signage at Roundabouts
	TP-5	Driver Decision Making At Complex Crossings
	TP-6	Review and Improvement of Hazard Indices and Accident Prediction Formulae

* In some cases, the project number shown may not reflect the project numbers from the ones generated during the breakout session on July 15.

Key: EPA – Education and Public Awareness GCM – Grade Crossing Modernization II – Institutional Issues NTO – New Technology Opportunities RE – Regulations and Enforcement TP – Traffic Patterns

Evaluation Form

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention

Cambridge, MA – July 14-16, 2009

Evaluation

Workshop Evaluation: Please take a moment to complete this evaluation and leave it at the Workshop registration desk. Your responses will be valuable in planning future Workshops. *Please use the back of the page as needed for your comments*. Thank you.

Which of the following best describes the industry you belong to?

Federal State or Local agency

Transit agency

Designated Employer Representative

Management

Consultant
Union Rep
Association or organizations

representing the railroad community

Academic or University research
 Education and Public Awareness
 Other ______

Please rate your satisfaction level for the following.

Category	Extremely	Very	Somewhat	Not at all	Comments
Registration process					
Workshop presentations					
Workshop session structure					
Courtesy and helpfulness of					
workshop staff					
Conference location and facilities					
Overall quality of the Workshop					

Did the Workshop meet your expectations?	YES	
Comments:		

What kinds of topics would you like to see included at future Workshops?

What did you like most about this Workshop?

What did you like least about this Worksho	p?
--	----

Appendix C. Day One Presentations

OPENING REMARKS

• Dr. Magdy El-Sibaie, Director, Office of Research and Development, Federal Railroad Administration







💫 Goals for the Highway-Rail Grade Crossing Railroad and Trespass Prevention Research Program Administ

- Reduction of injuries and . fatalities
- Tools for grade crossing . safety assessment and inventory
- Effective education and outreach efforts
- Rationale for effective ٠ rulemaking
- Improvements along vital rail corridors (including HSR)





Dr. Magdy El-Sibaie

Director Office of Research and Development Federal Railroad Administration



GENERAL SESSION PRESENTATION

Level Crossing Needs: Thoughts from Overseas Aidan E. C. Nelson, Co-Director Community Safety Partnerships, Ltd. (United Kingdom)



A bridge between business and the local community

Constanting of the second seco	Headline statistics
Road deaths (2006, sour	an effect.
France	4,709 / 75 per million population
Germany	5,091 / 62 per million population
 United Kingdom 	3,300 / 57 per million population
Sweden	445 / 49 per million population
Netherlands	730 / 45 per million population
Level crossing dea	ths (2004-5, 20070-8940);
 Sweden 	14 / 1.54 per million population
 Netherlands 	18 / 1.11 per million population
France	38 / 0.61 per million population
 Germany 	45 / 0.55 per million population
 United Kingdom 	7 / 0.12 per million population
= 2008 saw 14 unir Great Britain	tentional deaths on level crossings in A bridge between business and the local community





A roads perspective



 Collisions with road vehicles on level crossings are near the bottom of the risk on the country's roads

- Profile of this issue will remain low as the numbers killed on the roads is so high
- Level crossing risks may be shared between the interfacing modes but they are predominately a railway risk
- In the 4.5 years since a train occupant died in a level crossing accident 14,000 have died on the roads

A bridge between business and the local community

























WORKSHOP PARTICULARS

John McGuiggin, PE, PMP Chief, Systems Engineering and Safety Division, Volpe Center















HUMAN FACTORS: A RESEARCH NEEDS CROSS-CUTTING AREA Applying a Sociotechnical Framework for Improving Safety at Highway-Railroad Grade Crossings

Jordan Multer, Ph.D., Manager, Rail Human Factors Program, Volpe Center



















Accessibility Issues at Highway-Rail Grade Crossings

David Peterson, Senior Manager, Industry and Public Projects Union Pacific Railroad



Highway-Railroad Grade Crossing issues for people with disabilities?

- Flangeways
- Skewed Crossings
- Truncated Domes
- Quiet Zones

Federal Guidelines & Regulations

- Draft Guidelines for Accessible Public Rights of Way
 - Released in November 2005
 - Truncated Domes
 - Must be placed 8-16' from centerline tracks
 - 24ⁿ Depth
 - Flangeway Gap
 - 2.6" Passenger Operations only
 - 3ⁿ Freight Operations
 - Sidewalks
 - Min width chould be 4' on reconstructed facilities.
 - Maximum surface discontinuities is 0.5ⁿ

Federal Guidelines and Regulations (cont.)

- FHWA's ADA Standards for Transportation Facilities
 - Effective November 29, 2006
- Walking Surfaces (including sidewalks)
 - Maximum clope 1:20
 - (RR tracks can be superelevated 6.5" = 1:10 slope
- Truncated Domes
 - Must be of contracting color to walking surface
 Must have 24ⁿ depth
- Flangeway Gaps
- 2.6" Max

FRA Quiet Zone Rules

- 49 CFR Parts 222 & 229
 - Current update dated August 17, 2006
 - Does not require the routine sounding of horns at pedestrian grade crossings
 - If within a proposed Quiet Zone the must be evaluated by a diagnostic team.
 - Advance Warning Signs and No Train Horn Sign must be installed.

Flangeway Gap Issue

- No filler material exist that will withstand normal train volumes or speed.
- Railroads typically do not provide flangeway filler for timber or flange rail crossings.
- Wheel wear and tolerance limits set by international interchange rules.
- Flangeway gaps less than 3" result in wheel impacts to gage panels.

-









Skewed Crossings

- · Should there be a guidance on intersecting angle?
- Flangeway gap issues are compounded at skewed crossings.



Truncated Domes

- Need to be at least 2' in depth across the full width of the pathway.
- Contrasting color with paving surface.
- Should be at least 12' from centerline of track. Ideally opposite the crossing warning device.

· Ownership and maintenance needs to be defined.



Quiet Zones

- Should rules be modified pertaining to public pathway crossings?
 - Standard be the sounding of the train horn at all pedestrian pathway crossings not in a quiet zone.
- Require an audible bell at all quiet zone crossings.
- Require truncated domes at all quiet zone crossings.





Possible Research Needs?

- Find material that would close the flangeway gap that is durable and will work on mainlines.
- Investigate issues related to skewed pathway and sidewalk crossings and issue design guidelines that might be incorporated in the AASHTO's Green Book and the Railroad- Highway Grade Crossing Handbook.
- Investigate if Quiet Zone rules should be modified to address pedestrian ADA issues.



Education and Analysis—Highway-Rail Grade Crossings in the Modern World

Paul O'Brien, Rail Service General Manager Utah Transit Authority





































Grade Crossing Modernization

FRA Research Needs Workshop

Conclusions

Technology, Design, and Treatments?

What is worth researching?

Now is the Time and Place to Modernize Grade Crossings!



Roundabouts at or Near Highway-Rail Grade Crossings

Mark Morrison, Grade Crossing Safety Engineer Wisconsin Department of Transportation

Roundabouts Near Railroad Crossings

Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention

July 14th 2009, Volpe Center, Cambridge Mass.

Mark Morrison, mark.morrison@dot.wi.gov WisDOT Grade Crossing Safety Engineer

Introduction

- Here to discuss roundabouts near at-grade crossings, mainly signing issues today.
- Emerging issue as roundabouts become more the norm in highway construction.
 Nationally, Wisconsin is near the top in roundabouts to be constructed.
- Our planners/designers are directed to explore roundabouts whenever traffic signals are warranted or are proposed to be installed.

WHY ROUNDABOUTS?

- Not traffic circles like in the movies but modern roundabouts (Yield on Entry).
- Roundabouts have more throughput than conventional signalized intersections (more traffic volume).
- Reduce the amount of crashes.
- Typically change the type of crashes from right angle to side swipes.
- Reduce the severity of crashes.
- Lower operational costs. (Electricity, signal Engr.)
 Can have lower real estate impacts, especially on the approaches.

















Signing

- WisDOT sent letter to FHWA for an official interpretation.
- FHWA's MUTCD Team responded with 3 concerns:
- Study for experimentation since drivers might not understand the sign.
- Operational concerns, why is a roundabout so close to a crossing?

Signing

3. Design of sign is crowded, circle too small. Use the "standard W10-3 within the circle just before the exit or a W10-1 at the departure from the circle with a distance plaque if space permits, and only in situations where the devices at the crossing cannot be seen from within the circulatory roadway.3



Signing

- Study for experimentation since drivers might not understand the sign.
 - WisDOT feels this is a national issue and should be taken up as such.
 - It was presented to the NCUTCD technical committee for Parts 8 & 10 in January and they agree.
 - Utilizing the existing symbols as we've proposed is probably the best but research is needed
- Operational concerns, why is a roundabout so close to a crossing?
 - Common reaction to the issue but they already exist, aren't going away and more are coming

Signing

- Design of sign is crowded, circle too small. WisDOT understands and has designed the sign with a maximum size circle and larger minimum size sign. -
- Use the "standard W10-3.
- Motorists would most likely not pay attention to the W10-3 while traveling in the circulatory roadway sir they are focused on the complex driving task of negotiating a roundabout. since
- Use the "standard W10-1... 2
- Sign is only used to replace the W10-2, 3 & 4 when there is less than 100 ft. as per the MUTCD so using the W10-1 would be in non compliance with the manual.

What Started this Signing Discussion?

- Project on Allouez Ave. (USH 141) in the Green Bay area.
- Proposed roundabout to replace an existing signalized intersection.
- Roundabout was determined to handle the intersection traffic better and safer at this location.
- Railroad crossing impacts were actually positive compared to the existing.

How could the impacts be positive?

- Storage distance from the "intersection" to the crossing was slightly increased as part of the project.
- Right turn movements moved significantly further from the crossing.
- Signalized intersection would have moved the intersection closer to the crossing due to additional turn lanes. A roundabout's approach lanes don't have to line up with the departure side.

How could the impacts be positive?

- Vehicles facing a YIELD sign at a roundabout have better opportunities to clear the track zone than those facing a red traffic signal without pre-emption or a side road stop sign.
 - This alone makes the crossing safer than the previous configuration.
- Passive crossing with limited number of trains per month isn't conducive to pre-emption. Rusty rail, pre-emption not assured.
 - Decreased highway operations/safety the 99.9% of the time trains aren't operating at/near the crossing



Signing Research Needs

- Need to develop a new sign (or series of signs) to address when roundabouts are in close proximity to grade crossings.
- OR, need to develop guidance on how to apply the existing signs to roundabouts. - Would most likely need changes to the MUTCD.

Other Roundabout Research

- This issue isn't going away so research needs to be done on more than just signing. There are other issues around this emerging trend:
 - Traffic signals at roundabouts for pre-emption.
 - (How do we signalize a roundabout's to pre-emption. (How do we signalize a roundabout?) What signal indications? (red/yellow/green or red/yellow or blank outs or lane use control signals ?) (For each lane or each movement?)
 - Signals dwell in flashing yellow or dark? How to allow non-conflicting moves?
 - One track vs. multiple track issues. (Clear out queues before a second train.)

The Massachusetts Bay Transportation Authority: Lessons Learned

Gerard J. Ruggiero, WSO-CSS, Deputy Director of Safety, Safety Department Lorraine M. Pacocha, Senior Project Coordinator, Design and Construction Department Massachusetts Bay Transportation Authority







Median Barriers Laurel Street, Bridgewater, MA Video Study

- Findings
- Recommendations



Median Barriers Everett Avenue, Chelsea, MA

- Video Study
- Traffic Issues
- Installation of Quick Kurb Medians
- Pedestrian/Bicycle Issues
- High School Operation Lifesaver
- Changing Conditions















Queue-Cutter Signals at Highway-Rail Grade Crossings Brent Ogden, Vice President AECOM











Pre Signal Typical Cycle (with Lagging Left Service) Video #2

























Guidance and/or Requirements

Queue Cutter

 Active DO NOT STOP ON TRACKS SIGN or Traffic Signal

Pre Signal

- Passive DO NOT STOP ON TRACKS sign
 NO TURN ON RED sign
- Quest Character That again Quest Cleannor Time shall be long enough to allow the [design] which to move through the intersection, or to clear the tracks if there is sufficient clear storage distance."
- May use programmed visibility heads

Research Needs - Device Selection

Pre Signal

- What is the maximum Clear Storage Distance? *
- What site conditions may limit applicability?
- + IL DOT 56 ft (autos) 81 ft (teachs) max

Queue Cutter

- What is the minimum Clear Storage Distance?
- Is there a maximum effective Clear Storage Distance?
- What site conditions may limit applicability

Research Needs - Compliance

Pre Signal

- Known Issues
 Heavy Right Tum on Red
 Driver Confusion (especially at Busways)
- (especially at Busways) = What are the

countermeasures?

What alternative treatments are available?

Queue Cutter Known Issues

- Frequent cycling due to recurrent queuing
 Visual clutter
- Visual clutter
 What are the
- countermeasures?
 - treatments are available?

Research Needs – Design

Pre Signal

- Placement of heads upstream or downstream from crossing
- Use of programmed visibility heads or louvres
- Minimum stop bar offset to signal heads
- When is green extension required?

Queue Cutter

- Placement of detection loops
- Minimum red time
- = Minimum green time
- Does visibility of downstream intersection

signals matter?

Effectiveness of LED Signs at Passive Crossings

John Shurson, Assistant Director of Public Projects Burlington Northern Santa Fe Railway Company

















Warrants for Pedestrian Treatments at Highway-Rail Grade Crossings

Dan Guerrero, Director of Communications and Signals Metrolink Los Angeles






































www.metrolinktrains.COM, click on "About Us" (pull down menu) "Public Projects" and "Grade Crossing Section" (on right side).

100

METROLINK

REGULATION AND ENFORCEMENT TEAM LEADER: DEBORAH M. FREUND, FEDERAL MOTOR CARRIER SAFETY **ADMINISTRATION**

Collisions	Number	1028	7	507
	Rate per DVM	0.67	1.05	2.59
	Percent	75.19	0.29	24.15
Fatalities	Number	204	0	36
	Rate per DVM	0.00	0	0.15
	Percant	BL65	0	14.52
injuries	Number	648	7	225
	Rate per DVM	0.24	1.05	0.99
	Percant	73.22	0.79	25.42
VMT, billions		2,718.32	6.64	226.51
Registered vehicles		228.276 M	795,000	8,171,000
Collisions per million	vehicles	E.01	6.01	71.04

2007 Public Xing Stat	istics	Autos	Buses.	Truck
Collisions	Number	1562	2	50
	Rate per DVM	0.55	0.29	2.2
	Percent	75.39	0.10	24.5
Fatailties	Number	162		3
	Rais per DVM	0.05		0.1
	Percent	01.02		18.1
Injuries	Number	593		19
	Rais per DVM	0.21		0.0
	Percent	75.35		24.6
VMT, billions		2,782.27	6.90	225.9
Registered vehicles, N		237.402 M	634,435	9,027,62
Collisions per million	vehicles	6.58	2.40	56.2

Russen, FMAR, Office of Highway Pulley Information, Highway Statistics 2007 and Volge Center, US DOT FMA Rational Academic Information Reporting Systems (PARR) Calabras, February 2008

Collisions	Number	1389	5	364
	Rate per BVM			
	Percent	79.01	0.28	26.7
Fatalities	Number	159		1
	Rate per BVM			
	Percent	90.85	٠	21
Injuries	Number	530	1	195
	Rate per BVM			
	Percent	73.00	0.14	26.0
VMT, billions				
Registered vehicles, M				

istus 2007 and Volge Center, UK DOF 1, Amer 2008 1963, Office of Highway Policy Information, Highway Station ad Auctionain Clark Populsing Systems (2003) Database,

In sum ...

- The changes from 2004 to 2008 are striking:
 38% drop in collisions
 54% drop in fatalities
 13% drop in injuries
- From 2007 2008, 28% drop in collisions, 55% drop in fatalities, but one more injury
- · Granted, some of this may be due to lessened
- truck and train traffic from economic downturn
- VMT and vehicle registration figures will be available this fall to compute rate-based outcomes

Commercial Driver's License Program

Robert (Bob) Redmond, Senior Transportation Specialist Federal Motor Carrier Safety Administration



Goals of the Commercial Motor Vehicle Safety Act of 1986

- To prevent commercial vehicle drivers from concealing unsafe driving records by carrying licenses from more than one state.
- To ensure that all commercial vehicle drivers demonstrate the minimum levels of knowledge and skills needed to safely operate commercial motor vehicles before being licensed.

Federal Hictor Carrier Safe Administration

2

6



 To subject commercial motor vehicle drivers to new, uniform sanctions for certain unsafe driving practices.

> Federal Notor Carrier Safety Administration

Prior to the Commercial Motor Vehicle Safety Act of 1986

- States had wide variations in:
 > Testing and licensing standards
 - Disciplinary actions for violating traffic control laws.

Federal Hotor Carrier Subly Administration

Drivers had multiple licenses

Commercial Motor Vehicle CDL - Class A

Gross Combination Weight Rating (GCWR) of 26,001 or more pounds inclusive of a towed unit(s) with a GVWR of more than 10,000 pounds.

Commercial Motor Vehicle CDL - Class B

- Gross vehicle weight rating (GVWR) of 26,001 pounds or more;
- Any such vehicle towing a vehicle(s) of 10,000 pounds or less GVWR.

Commercial Motor Vehicle CDL – Class C

- Any single vehicle or combination of vehicles, that meets neither the definition of Class A or Class B;
- Is designed to transport 16 or more passengers, including the driver; or
- Is transporting hazardous materials required to be placarded or select agents or toxins.

Federal Notor Currier Safety Administration

Enforcement of CDL requirements is a joint effort involving:

- Federal regulations and oversight
- State testing and licensing
- State and local law enforcement
- Judicial system

Federal Motor Canter Safety Administration

Disqualifying Offenses

- Major Offenses
- Serious Traffic Violations
- Violations of Out-Of-Service Orders
- Railroad Grade Crossing Violations

Federal Notor Carrier Safety Administration

Background

- Regulation mandated by section 403 of the ICC Termination Act of 1995
- · Final rule effective on October 4, 1999
- Reduce number of CMV/train collisions at grade crossings involving injuries and fatalities

Federal Motor Carrier Safety Administration

10

12

Railroad-Highway Grade Crossing Violations

[49 CFR § 383.51(d)]

For drivers who are not required to always stop:

 Failing to slow down and check that the tracks are clear of an approaching train;

> Federal Notor Carrier Safety Administration

 Failing to stop before reaching the crossing, if the tracks are not clear.

Railroad-Highway Grade Crossing Violations (cont.)

For drivers who are always required to stop:

Federal Motor Cardier Safety Administration

 Failing to stop before driving onto crossing.

Railroad-Highway Grade Crossing
Violations (cont.)

For all drivers:

- Failing to have sufficient space to drive completely through the crossing without stopping;
- Failing to obey traffic control device or instructions of enforcement official at crossing;
- Failing to negotiate a crossing due to insufficient undercarriage clearance.

Federal Hotor Carrier Safety Administration

13

15

Disqualification for Railroad-Highway Grade Crossing Violations

- <u>1st Conviction</u> = 60 days
- <u>2nd Conviction</u> = 120 days
- <u>3rd or Subsequent Conviction</u> = 1 year
- Violations must occur within a 3-year period.

Federal Histor Carrier Safety Administration

Civil Penalties for Railroad-Highway Grade Crossing Violations

Employer: Civil penalty of not more than \$10,000 must be assessed against an employer who knowingly allows, permits, requires or authorizes driver to operate a CMV in violation of Federal, State or local laws or regulations pertaining to railroadhighway grade crossings

[49 CFR 383.37(d) and 383.53(d)]

Federal Notor Carrier Safety Administration



Enforcement Issues at Highway-Rail Grade Crossings

LTC. Ralph D. Mitchell, Jr., Patrol Commander Louisiana State Police





Louisiana Error:

Different approaches The problem of human error can be viewed in 2 ways: The person approach The systems approach Each has its model of error causation, and each model gives rise to different philosophies of error management

Louisiana State Police

Person approach, basis

The long-standing and widespread tradition of person approach focuses on the unsafe acts -errors and statutory violations- of people in the transportation system: DRIVERS and PEDESTRIANS.



Louisiana State Police

Person approach, philosophy

This approach views these unsafe acts as arising primarily from atypical mental processes such as forgetfulness, inattention, poor motivation, carelessness, negligence, and recklessness.

People are viewed as free agents

- capable of choosing between safe and unsafe mode of behavior.
- If something goes wrong, a person must be responsible.

Person approach: countermeasures to errors The associated countermeasures are

- directed mainly at reducing unwanted variability in human behavior.
- Posters and campaigns that appeal to people's fear, disciplinary measures, threat of litigation, retraining, naming, blaming, and shaming.

uisiana State



Person approach: countermeasures to errors

Followers of these approaches tend to treat errors as moral issues, assuming that bad things happen to bad peoplewhat has been called the "just- world hypothesis"

person approach, why?

Blaming individuals is emotionally more satisfying than targeting institutions.

Uncoupling of person's unsafe acts from any institutional responsibility is in the interests of managers.

Person approach is also legally more convenient.

ouisiana State Police



us ana

State

Person approach: shortcomings Effective risk management depends

Crucially on establishing a reporting culture. Without a detailed analysis of mishaps, incidents, near misses and "free lessons", we have no way of uncovering recurrent error traps.

Reliable Data

uisiana

Compstat/Trafficstat Process

Person approach: shortcomings 2 important feature of human error tend to be overlooked: It is often the best people who make the worst mistakes- error is not the monopoly of an unfortunate few Far from being random, mishaps tend to fall into recurrent patterns. The same set of circumstances can provoke similar errors, regardless of the people involved.

Person Approach: Shortcomings

The pursuit of greater safety is seriously impeded by an approach that does not seek out and remove the error-provoking properties within the system.

Quiet Zones

ouisiana State Police



Systems Approach 3 Humans are fallible and errors are to be na expected, even in the best society Errors are seen as consequences rather S than causes, having their origins not so tate Pol much in the perversity of human nature as in "upstream" systemic factors.

System Approach: Countermeasures to ouisiana State Police Errors Although we can not change the human conditions, we can change the conditions under which human operate. When an adverse event occurs, the important issue is not who blundered, but how and why the defenses failed.

The Swiss cheese model of system ouisiana State Police accident Defenses, barriers, and safeguards occupy a key position in the system approach. some are engineered (highway, motor vehicle, warnings) others rely on people (training, skill, experience),

and others depend on procedures and



Louisiana State Police

na

Active failures are like mosquitoes, they can be swatted one by one, but they still keep coming. The best remedies are to create more effective defenses and to drain the swamps in which they breed. The swamps, in this case, are the everpresent latent conditions.

Error management has 2 components: Error management has 2 components: Limiting the incidence of dangerous errors (this will never be wholly effective). Photo Enforcement Creating systems that are better able to tolerate the occurrence of errors and contain their damaging effects.

Error management

Followers of the person approach direct most of their management resources to trying to make individuals less fallible or wayward.

Followers of the system approach strive for a comprehensive management program aimed at several targets: the person, the highway, the environment, the vehicle, and the process.

> never notice what has been done. I only see what remains to be done." Madame Curle

Louisiana State Police 225-925-6402(Phone) ralph.mitchell@dps.la.gov

www.lsp.org

LTC. Ralph D. Mitchell Jr.

Safety and Enforcement: A Local and Regional Perspective

Jack C. Hanagriff, Senior Police Officer Houston Police Department Neighborhood Protection Corps



Improve Communication

- Translate Incident Data
- Incorporate City, County, State Names
- Utilize closest Street Name and Block Number
- Average Times and Days of Incident



R.R.	Subdivision	Mile Post	Incident
UP	Palestine	189.10	Trespasser
UP	Palestine	203.80	Trespasser
UP	Palestine	204.50	Trespasser
UP	Palestine	208.25	Trespasser





legislate to enact Standardized **Trespassing Laws**

Texas Criminal Code

- Criminal Trespassing Requires prior warning or sign
- sign Mandates Arrest Involves Fingerprinting of subject
- Involves drafting report
- Involves filing charges
- Time Consuming

Texas Transportation Code

- Interfering with Railroad Property Arrest not Mandatory Issuance of Citation

 - Place Property Owner and Phone number of Contact

Grade Crossing Enforcement

What?

Where? When?

How?



Directed Enforcement

- FRA and Railroads deliver data to Law Enforcement Railroad establish a mechanism on specific RR Crossing Railroad informs Law Enforcement on train operation related to that crossing Law Enforcement Monitors RR Crossing



EDUCATION AND PUBLIC AWARENESS TEAM LEADERS: HELEN SRAMEK, OPERATION LIFESAVER, INC. (USA) DANIEL DI TOTA, OPERATION LIFESAVER (CANADA)





















New Outreach Technologies: Florida Operation Lifesaver's Perspective Annette Lapkowski, Rail Operations Administrator

Florida Department of Transportation

















Children, ages 8 to 18 spend 6 1/2 hours daily in front of computer, television, and game screens

> (more then any other activity in their lives except sleeping)

Teens report use of the Internet:

- 54% read blogs
- § 50-60% post photos
- 8 75% view videos online
- 8 26% have created own webpage
- 68% instant message
- 65% of all online American youth use online social networking sites

v Internet Project, 2009 and Lenhart, 2009

Facebook

- More than 200 million active users
- More than 100 million users log on to Facebook at least once each day
- More than 4 billion minutes are spent on Facebook each day (worldwide)
- Average user has 120 friends on the site

Facebook USA Number of users: > 91 million USA Demographics 27% 12 to 17 46% 18 to 34 26% 35+ The fastest growing demographic is those 35 years old and older

According to the Wall Street Journal ...

- YouTube receives a billion videos per day
- In fact, every minute, ten hours of video is uploaded to YouTube

You Tube

Your Marketing may be Dated?

- Millions of people no longer watch TV, and many that do skip the ads
- Print newspapers/magazines are dying
- Society influencers spend a majority of their time on the web
- Over 130 million Americans watch video on the Internet each month

Growth of customization & personalization

- Oreate fans around your mission
- They will spread your message for you through social media
- Build a culture
- Brand with dynamic people
- Keep it transparent

What's the Florida plan?

- Keep the fans we have
- Encourage the use of new media
- Be fluid and adapt
- Create media that better appeals to younger audience
- Focus on our needs















		4515151515151			
Advertising – Top Visited Sites					
Properties	Accepts Ads?	US People			
google.com	Yes	141,178,202			
facebook.com	Yes	137,870,934			
yahoo.com	Yes	121,924,325			
live.com	Yes	113,960,179			
msn.com	Yes	99,980,306			
youtube.com	Yes	81,574,936			
microsoft.com		79,761,405			
wikipedia.org		72,804,891			
myspace.com	Yes	64,338,380			
ebay.com	Yes	60,103,095			
aol.com	Yes	58,048,729			
ask.com	Yes	53,037,854			
craigslist.org		49,500,444			
		1			





Public Education and Enforcement Research Study (PEERS)

Suzanne M. Horton, Operations Research Analyst Volpe Center





PEERS Project Overview 16-month video monitoring period Pre-test case data collection period (2 months) Post-test case data collection period (2 months) Post-test case data collection period (2 months) Initiatives during test case period Scheduled police information and enforcement blitzes Community public awareness campaigns





















Macon	nb Vio	lations				
	Violation	Rates by Vic Crossir		e for All		
	Violation	Pre-test	rgo Test	Post-test		
	violation	Fletest	Test	F Cot-teor		
	Type I	0.40	0.41	0.36		
	Type II	2.02	2.12	2.22		
	Type III	0.08	0.05	0.06		
	Type 1 vio	lation rate o	lecrease	d by 10.1	%	
	Type 2 vio	lation rate i	ncreased	l by 9.6%		
-1	Type 3 vio	lation rate v	vas too s	mall to be	2	
si	gnificant					94
			A Ling	etronet al Transporta and lenses for Techn	tan Ange Administration	













Gate Down Time

Arlington Heights

· Primarily commuter rail trains

 Crossing warning devices active for 2.1 minutes per train event

Macomb

OLPE

- Primarily freight trains
- Crossing warning devices active for 3.7 minutes per train event

0 ::::







Operation Lifesaver Data Collection – Power of the Internet

Daniel Di Tota, National Director Operation Lifesaver, Canada





					-	o Lifesaver A			
		-	12	- ElE	Ratillati 2010 1 Indian		an (and a feat) (per sector and a feat of a	te i	tion on Here
		-	1.0	21	Services 1	21	11	E.1	200 8
	-	12	11	1122220	Extension II	EI	Richard I	t: I	Erre I
	-	-	11	1000	States a	E.1	Barner I	E. I	Erre i
-		-	12	11	Surgering 1	12.1	200-1	にど	Erre I
-	-	-	112	10	Interne I	EI	The course of	Co. F	Erre I
		-	112	The Library	ANALYSING S.	臣日	Filternal S	it. i	Etter B
			112	Do The	Statutes #	三十	1	2: I	2:::: 0









		w.olkids.ca	
	me to Emerald Faston Lite Version 1.4		-
-			
	410 ·		
-	Local International Contractor	dented in second and the second and in the second second	
12	12	11B	
	the second secon	Served in 1981 and a state of the server of the server	
1.000	1.00%	1100	
-	sense i business and the sense is bugginess on the sense	mental formula (permitter or some some some some some	
1224	150%	1100	
-	Annual Inclusion and	manual commission of the set	
102	1100	1100	
-	terreri Menarikett (mont)	ment internet and the second second	
1953	100	4122	
1. Sugar	1.000		







Institutional Issues

John Shurson, Assistant Director of Public Projects Burlington Northern Santa Fe Railway Company







Institutional Issues

Grade crossing closures and consolidations

- Do grade crossings closures and consolidation improve grade crossing safety?
 - Channelizing grade crossings to improved at-grade crossings and constructing grade separations reduces risk of train – vehicle incidences
 - Promote education to public agencies that encourages crossing closures and "smart" development near grade crossings



ans

ans







Causal Analysis and Countermeasures to Prevent Rail Suicide

Karen M. Marshall, Program Development Director American Association of Suicidology

Causal Analysis and Countermeasures to Prevent Rail Suicide

American Association of Suicidology Subcontractor to Railroad Research Foundation

For FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Trespass Prevention Volpe Transportation Center, Cambridge, MA July 14 and 15, 2009

N ASSOCIATION OF SURCEDOLOGY

Outline

- Project Objectives
- Early Findings
- Challenges in Rail Suicide Prevention
- Possible Prevention Strategies

ILCAN ASSOCIATION 10 SUBCIDOLOGY

 What We've Learned, What We Hope to Learn







Challenges

- Lack of a surveillance system
- Disparate data sources
- Confirmation by Medical Examiners/Coroners
- Widespread access to tracks
 Sensational, glamorized, romanticized coverage by media



AMERICAN ASSOCIATION OF SUBCIDOLOGY

Potential Interventions

• Barriers (living and others)

- Reduced speeds?
- Improved communication between station & train, crew-to-crew
- Media training
- · Community education



Signs, Signs ...
Several rail and transit organizations have installed signs
Effective?
Wording?
With or without telephones?
Dedicated lines?





What We Hope to Learn

- Are Signs Effective?
- What Interventions Will Work, in What Combination?
- Can Communities and the Industry Partner to Stop Intentional Deaths?
- Will Unintentional Deaths be Positively Impacted as Well?

AMERICAN ASSOCIATION 14 SUBCIDOLOGY

Can Learnings be Applied to Other Means?

110

American Association of Suicidology 5221 Wisconsin Ave. NW Washington, DC 20015 Phone: 202-237-2280

KMarshall@suicidology.org

AMERICAN ASSOCIATION IN SURCEDOLOGY

Data Needs and Other Issues

Ronald E. Ries, Staff Director Highway-Rail Grade Crossing and Trespasser Prevention Division Federal Railroad Administration



Data Is Necessary To:

Identify problem locations

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Tresspass Prevention

- Identify causes of incidents and possible mitigations
- Determine effectiveness of interventions

FRA Activities

NPRM on 49 CFR Part 225 – Reporting

Revising inventory form

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Tresspass Prevention

Mandatory updating of Inventory

NPRM on 49 CFR Part 225 - Reporting

- Geo-locating trespassing casualties
- Gathering data on suicides
- Several new data elements on 57 reports
 - Passenger trains pulling/pushing
 - Stalled or stuck on crossing
 - Trapped on crossing by traffic
 - Blocked by gates
 - Roadway conditions
- Locomotive video taken

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Tresspass Prevention

Inventory

 Draft revision of Inventory form is on web for review and comments

http://www.fra.dot.gov/us/content/801

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Tresspass Prevention

 RSIA requires periodic updating by States and railroads

Data Questions

- Should vehicles collisions not involving a train at or near crossings be collected?
- What other data elements would be useful?
- Can other data sources be mined or accessed to provide additional data?

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Tresspass Prevention



Intrusion Detection

Both at crossings and along rights-of-way

- Provide notice of vehicles stalled/trapped on crossing
- Virtual fence to detect trespassing
 CA beaches
- Research on whether this information should be provided to engineer
 - Impacts on train handling
- Number of collisions that would be avoided

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Tresspass Prevention

High Speed Rail

 How should crossings be treated as high speed passenger rail is implemented?

- 80 mph to 110 mph
 - What is needed?
 - Impact on safety of train passengers and crew
 - How to quantify the benefits of the improvements?
- Notification to train crew

FRA's Third Research Needs Workshop on Highway-Rail Grade Crossing Safety and Tresspass Prevention

- ale



APPENDIX D. DAY TWO AND DAY THREE PRESENTATIONS
Organization of Working Groups and "Rules of Engagement"

Marco P. daSilva, Highway Rail Grade Crossing and Trespass Research Team Leader Volpe Center







Top Five Research Needs Summaries – Team Leader Day 3 Presentations



Project #1: Warning Device Minimum Requirement for 80-110 MPH Trains

- Description Research and determine warning device requirements for high-speed corridors in the 80-110 mph range
- Rationale Imminent deployment of HSR corridors calls for clear requirements for warning devices in this speed range
- Benefits Uniform high standard of warning for road users at all HSR crossings nationwide
- Key Implementation Issues Need to develop firm basis for warning device requirements

Project #2: Flangeway Gap Solutions

- Description Flangeway gaps at grade crossings are a problem for wheel chair users
- Rationale Need to develop an effective treatment for rail crossings so that road users may cross tracks without risk of entrapment
- Benefits Safer and more uniform mobility for all road users
- Key Implementation Issues Material used to fill the gap must be able to withstand the harsh railroad environment

Project #3: GPS/PTC Constant Warning Time (CWT)

- Description Develop lower cost constant warning time system based on GPS and PTC
- Rationale CWT is desirable, but not currently practicable at many crossings
- Benefits Opportunity to make the benefits of CWT available at many more crossings
- Key Implementation Issues Developed system must be compatible with existing population of crossing warning systems

Project #4: Second Train Warning Devices for Pedestrian Crossings

- Description Develop universal active warning devices to let pedestrians know when a second train is approaching their location
- Rationale Pedestrians need external cues to alert them to unseen potential danger
- Benefits Reduction in pedestrian injuries and fatalities; better working environment for train crews
- Key Implementation Issues Need to determine how best to communicate a complex message of second train location and direction

Project #5: Personal Detection Device for Railroad Workers

- Description Develop a type of personal protection device using GPS/PTC technology that a railroad employee could wear to warn of approaching trains.
- Rationale Need to enhance safety of workers at crossings and elsewhere on railroad
- Benefits Reduction in roadway worker injuries and fatalities; safer and more productive workplace
- Key Implementation Issues Any such device must be fail safe to be used in railroad industry

Acknowledgements

- Leonard Allen, FRA
- · William Barringer, Norfolk Southern Corp.
- · Ed Boni, Interactive Elements Incorporated
- Mark Ciurej, Brotherhood of Railroad Signalmen
- · Jessica Franklin, Texas Transportation Institute
- · Frank Frey, Massachusetts DPU
- Dan Guerrero, SCRRA/Metrolink
- Paul O'Brien, Utah Transit Authority
- Ed O'Connor, Mass. Operation Lifesaver
- David Peterson, Union Pacific Railroad
- · Phillip Poichuck, Rail Safety, Transport Canada
- Scott Windley, US Access Board
- · Paul Worley, NC Department of Transportation

Top Five Project Summary: Grade Crossing Modernization Top Six Project Summary: Traffic Patterns

Top Six Research Needs Areas Traffic Patterns

- TP-10 Application of Warning Devices/Treatments at High Speed Rail
- TP-7 Highway Traffic Signal Pre-emption At Highway-Rail Grade Crossings
- TP-5 Effectiveness of Gates for Pedestrian
- TP-9 Signage At Roundabouts
- TP-3 Driver Decision Making At Complex Crossings
- TP-13 Review And Improvement of Hazard Indices And Accident Prediction Formulae



TP-7

Highway Traffic Signal Pre-emption At Highway-Rail Grade Crossings

- Description Assess best practices nationally to determine proper application or use of traffic signal preemption at highway-rail grade crossing. Determine proper use of advanced pre-emption versus simultaneous pre-emption. Review equipment (hardware and advance), particularly on the traffic signal controller side, to ensure those devices can adequately perform pre-emption as intended. Also assess best practices of field reviewing pre-emption. Research coldent reports to identify "hot spoid" (high incident areas) and factors relevant to pre-emption.
- Rationale Covers three of the four cross-cutting issues High Speed Rail; Transit-Oriented Development and Data Requirements
- · Benefits Reduce incidents and More efficient traffic management
- Key Implementation Issues High Cost > \$550K. Difficult to Implement.

TP-5 Effectiveness of Gates for Pedestrians

- Description Test the effectiveness of various gate treatments for pedestrians and passenger stations, commuter rail crossings in transit oriented development and freight rail crossings.
 Gather information for development of warrants.
- Rationale Covers three of the four cross-cutting issues High Speed Rail; Transit-Oriented Development and Human Factors.
- Benefits National standard/warrants for pedestrian gates
- Key Implementation Issues High Cost; Difficult Implementation

TP-9 Signage at Roundabouts

- Description Evaluate alternatives for advanced warning signs within or in close proximity to roundabouts. Need to develop an advanced warning sign(s) for a crossing located within 100 feet of the yield line at a roundabout. There is currently no equivalent series of signs to the W10-2, 3, & 4 for crossings in close proximity to roundabouts. A sign also needs to be developed for situations where the real line runs directly through a roundabout. Review body of existing literature in international examples. Gather information for development of warrants
- Rationale Covers three of the four cross-cutting issues High Speed Rail; Transit-Oriented Development and Human Factors.
- Benefits National standard signage for MUTCD
- Key Implementation Issues Medium Cost; Easy Implementation

TP-3

Driver Decisions Making at Complex Crossings

- Description Close proximity between rail/tracks and complex intersection such as roundabouts and multiple access roads near RRX. Driver must divide attention and make decision in a short period of time. Furpose, Better understanding of driver performance and information needed in order to provide means to reduce driver error. Expected outcome, Input design process and safety review and enhancements.
- Rationale This topic was in the RNW 2003 topics.
- Benefit: Reduce driver confusion and information overload. Reduce driver error and improve safety and mobility.
- Key Implementation Issues Low Urgency. Medium case of implementation.

TP-13

Review And Improvement Of Hazard Indices And Accident Frediction Formulae

- Description New methods for evaluating the system safety performance of
 crossings are needed. The API calculation has become less valuable as the majority
 of crossings with high train and traffic volumes have been signalized or gradeseparated. The risk of a low-volume crossing is not fully reflected in the current
 evaluation standard, and the API calculation may indicate crossings for upgrade
 that do not warnet signalization. A standardized evaluation method should be
 established for multiple agency use.
- Resideable Covers two of the four cross-cutting issues Human Factors and Data Requirements.
- Besefit: A holistic evaluation method will help state agencies to select crossings that most deserve improvements.
- Key Implementation Issues High Urgency. Medium case of implementation.

Additional One-Page Research Needs Statements Developed TP Number Title TP-1 Driver Reaction to Active Advance Warning Signs and Variable Meerage Signs **TP-2** Driver Compliance with do not stop on Track Sign 194 Driver Behavior at Crossings with Mix Train Traffic (Jo Strang Question) **TP-6** Impact Of Storage Information Sign on Combination (Long-Wheel Base) Vehicle Use TP-8 Sailroad Signals Through Roundabord TP-11 Mentify barriers to crossing consolidation implementation (Magdy El-Sibale Presentation) defied for estimating traffic volumes at grade crossings when comin are not available 72-12 Renfew of current GIS Methods and data for "hot spof" analysis TP-14 investigate earliety performance of grade crossings using micro TP-15 72-16 Best methods for linkage/sharing of crossing data, traffic data, and collision data among statubolders (agencies, indestry, and public)

Acknowledgements

- Jim Kreiger, Canadian Pacific
- Carolyn Cook, FRA
- Shou-Ren Hu, National Cheng Kung University, Talwan
- Chip Frazier, HDR, Inc.
- OI Kel Ng, University of Waterico, Canada
 John Mitchell, Mass Bay Commuter Rail
- Brann Greager, Jacobs Consulting
- Daniel LaFontaine, Transport Canada
- Mark Morrison, WisDot
- Lisandra Garay-Vega, Voipe Center

Support

- Jeff Bryan, Facilitator, Volpe Center
- Patrick Bien-Aime, Scribe, Voipe Center
 Anya A. Carroll, Team Lead, Voipe Center



Top Six Research Needs Areas for New Technology Opportunities

- Alternative Sensors and Warning Systems for Vital Applications (NTO-1)
- Pedestrian, Non-Motorized and Limited Mobility Treatments (NTO-2)
- On-Track Vehicle Detection (NTO-3)
- Effectiveness of LED Enhanced Grade Crossing Traffic Control Signs (NTO-4)
- Minimum Traffic Control Devices for High-Speed Train (HST) HRGC (NTO-5)
- Enhanced Commercial GPS Systems to Improve HRGC Safety (NTO-6)

Project #1: Alternative Sensors and Warning Systems for Vital Applications

- Description To develop a vital non-traditional means for train detection and communication
- Rationale Existing technology has significant limitations
- Benefits Cost-effective means to provide additional warning time for preemption of adjacent signalized intersections and some warning devices (e.g., 4QG)
- Key Implementation Challenge(s) Extensive knowledge of vital signal systems, train detection and communications

Project #2: Pedestrian, Non-Motorized and Limited Mobility Treatments

- Description Identify and evaluate technology at active and passive HRGC
- Rationale Need to develop standards for use of treatments for these conditions
- Benefits Improve safety
- Key Implementation Issues Increase in demand to meet transit/passenger and accessibility needs

Project #3: On-Track Vehicle Detection

- Description Develop a system for on-track vehicles to activate HR warning devices
- Rationale Numerous collisions between roadway users and on-track equipment
- Benefits Safety for road users and railroad employees
- Key Implementation Issues Necessary to activate one crossing at a time. Radio may not be an alternative due to communication congestion

Project #4: Effectiveness of LED Enhanced Grade Crossing Traffic Control Signs

- Description Evaluation of effectiveness of LED enhanced signs at HRGC
- Rationale Current signs compete for driver's attention
- Benefits Low cost means to increase safety
- Key Implementation Issues Development of a national standard for use of the devices

Project #5: Minimum Traffic Control Devices for High-Speed Train (HST) HRGC

- Description Development of a model to evaluate effectiveness of 4QG versus barrier gates on HST corridors
- Rationale Determine if the use of barrier gates is a reliable, cost-effective measure instead of 4QG
- Benefit Potential cost savings
- Key Implementation Issues Data collection and analyses,

Project #6: Enhanced Commercial GPS Systems to Improve HRGC Safety

- Description To incorporate HRGC data into commercial GPS systems
- Rationale With the proliferation of GPS systems HRGC data can likely be incorporated to increase user awareness of crossings
- Benefit Increase safety, especially for commercial motor vehicles
- Key Implementation Issues GPS manufacturer buy-in and regulations requiring use

Acknowledgements

- Facilitator Aaron Jette, Volpe Center
- Staff Assistant Dee, Volpe Center
- · Andy Davis, Quixote Transportation Safety
- Bill Grizard, APTA
- · Dan Guerrero, SCRRA/Metrolink
- Bob Hoffman, CSX
- Vijay Kohli, Fulcrum Corporation
- Dan Kubaczyk, Volpe Center

Acknowledgements (cont.)

- · John McGuiggin, Volpe Center
- Brent Ogden, AECOM
- Dick Pew, BBN Technologies
- Tom Potter, Reno A&E
- · John Sharkey, Campbell Technology Corp.
- Sesto Vespa, Transport Canada
- Michelle Yeh, Volpe Center



July 16, 2009



Top Five Research Needs Areas

- · Project 1: Data Needs for Proactive Enforcement
- Project 2: Collecting and Analyzing Trespassing Data
- Project 3: Photo Enforcement at HRGXs
- · Project 4: Regulations and Signage: No-Train-Horn Xings
- Project 5: National Campaign for Targeted Seasonal Enforcement Programs

Project #1: Data Needs for Proactive Enforcement

- Description What data do stakeholders (including HRGX researchers, local law field-enforcement and administrative officers) need to support proactive enforcement efforts? Can we automate many common data searches from FRA, RR, and highway databases?
- Rationale We're updating the Grade Crossing Inventory – great opportunity to help the end-users!
- Benefits Increase efficiency of data analyses; improve ability to pinpoint hotspots and to target enforcement activities.
- Key Implementation Issues Timing of Inventory update; different levels of challenges in gathering information from FRA, FHWA, States and RRs; coordination of disparate databases (GX 38 and others).

Project # 2: Collecting and Analyzing Trespassing Data

- Description Upgrade existing trespassing data collection to include sufficient definitions of the term "trespaser," provide effective guidelines to develop model law for nationwide application.
- Rationale Need more consistent State and local regulations to better identify trespassing problem size and scope, and to develop consistent State and local regulations and enforcement mechanisms.
- Benefits Improved knowledge of State and local trespassing situations, leading to improved prevention and mitigation.
- Key Implementation Issues Incentives and disincentives for States; ownership, risk, and liability concerning ownership of ROW and data availability and data sharing.

Project # 3: Evaluating Photo Enforcement at HRGXs

- Description Assess potential benefits of photo enforcement to improve traffic safety; develop model laws, guidelines, and procedures to provide for standard and consistent application nationwide.
- Rationale Potential benefits: improve traffic safety by deterring improper actions and documenting those that occur.
- Benefits Verifiable data to document violations can provide a deterrent effect and promote sustained improvements in motorist behavior.
- Key Implementation Issues Overcoming negative attitudes (\$\$ generation over safety enhancement); privacy; initial and ongoing operational costs.

Project # 4: Regulations and Signage: No-Train-Horn Xings

- Description Modification of W10-1 sign to indicate notrain-horn crossing.
- Rationale Provide notification to motorists unfamiliar with the particular crossing.
- Benefits Enhanced motorist awareness of no-trainhorn crossing – an "expected" audible warning may not be available.
- Key Implementation Issues Development of sign, review by NUTCD, rulemaking by FHWA to modify W10-1, posting of new sign.

Project # 5: National Campaign for Targeted Seasonal Enforcement Programs

- Description Develop targeted, seasonal, topical campaigns for HRGX and trespass prevention activities.
- Rationale Many highway safety concerns (seat belts, drunk driving, child safety seats) have seasonal targeted outreach and enforcement programs no similar program for HRGX safety and trespass prevention activities.
- Benefits Raise awareness of HRGX and trespass prevention, increase officer awareness and precision of enforcement practices.
- Key Implementation Issues Funding will be a challenge in time of limited resources.

Acknowledgements

- Richard Brown, Transpo Industries
- Lou Frangella, FRA Region 1
- Deborah M. Freund, PE, FMCSA Policy
- · Officer Jack Hanagriff, Houston Police Department
- Dan Lauzon, BLET
- Gina Melnik, Volpe Center
- LTC Ralph Mitchell, Louisiana State Police
- Dr. Thomas Raslear, FRA R&D Robert (Bob) Redmond, PE, FMCSA Enforcement
- Gerald Ruggiero, MBTA
- James Sottile, PVB Consulting Group
- Guan Xu, PE, FHWA Safety



Helen Sramek - Operation Lifesaver USA Daniel Di Tota – Operation Lifesaver Canada

FRV's Third Research Needs Workshop on Highway-Rall Grade Crossing Safety and Trespace Prevention

Top Five Project Summary: Education and Public Awareness

July 16, 2009

Top Five Research Needs Areas for Education and Public Awareness

- Evaluation of Social Media Outreach
- Evaluation of Existing Education and Outreach Strategies
- Crossing Consolidation Education
- Evaluate effectiveness and potential motorist & pedestrian signage and treatments
- Evaluate the effectiveness of Mobile Warning Devices when approaching grade crossings

Project #1: Evaluation of Social Media Outreach

- Description To identify, assess, and test the effectiveness of social media
- Rationale Use of new media applications offers the opportunity to reach a broader audience with minimum resources.
- Benefits Collection of data that has never before been utilized or captured, improve targeting of future educational efforts, better utilization of limited resources
- Kev Implementation Issues N/A

Project #2: Evaluation of Existing Education and Outreach Strategies

- Description TO quantify the role that education plays in preventing incidents on active rail lines
- Rationale It is crucial to assess the impact and effectiveness of existing education and outreach strategies in changing public behavior
- Benefits Identify effective current education methods to better target intended audience to reduce incidents on RR right-of-way
- Key Implementation Issues Collection of data, and designing research study

Project #3: Crossing Consolidation Education

- Description To determine effective methods to educate community leaders in this area
- Rationale Many communities are unaware of the benefits of public/private partnerships regarding grade crossing consolidation and grade separation funding.
- Benefits Increased community safety, forges better partnerships, long term safety benefits, and mutual benefit among cross-sectional groups (FRA, industry, community, DOT, law enforcement, etc.)
- Key Implementation Issues N/A

Project #4: Evaluate effectiveness and potential motorist & pedestrian signage and treatments

- Description Assess the effectiveness of existing and potential new driver and pedestrian signage/treatments on or around railroad tracks and station platforms
- Rationale Current signage may be misunderstood or overlooked by motorist and pedestrian traffic
- Benefits Further reductions in motorist and pedestrian grade crossing and trespass incidents, increased motorist and pedestrian awareness of public rail safety, and improved compliance to signs
- Key Implementation Issues Design of new signage, changes in signage, MUTCD compliance

Project #5: Evaluate the effectiveness of Mobile Warning Devices when approaching grade crossings

- Description Research the effectiveness of mobile warning devices as means to alert drivers and pedestrians within close proximity of active rail lines
- Rationale Utilization of current technology (i.e. cell phones, GPS, PDAs, etc.) as mobile warning devices can offer additional alerts
- Benefits Active warning alert, reduction in collisions at crossings, long term benefit to general public and industry
- Key Implementation Issues Integration with existing equipment, and the challenge to using this technology includes driver distraction.

Acknowledgements

- Tarah Harkins, CSX Transportation
- Annette Lapkowski, Florida DOT
- · Cliff Stayton, CSX Transportation
- · Alvin Richardson, Sr., Amtrak
- · Suzanne Horton, DOT Volpe
- · Hadar Rosenhand, DOT Volpe
- · Richard Towle, FRA
- Lorraine Pacocha, MBTA







 Identity opportunities to make legislation and regulations across jurisdictions compatible, meaningful and up to date

Project #1: Establishment of a railroad/transit data clearinghouse

- Description Development of a framework/architecture for integrating existing databases.
- Rationale Maximize distribution of information
- · Benefits To make better informed decisions
- Key Implementation Issues none

Project #2: Cost/benefit analysis of grade crossing improvements

- Description Developing examples of how to conduct cost/benefit analyses of Federally funded grade crossing improvements under the Section 130 Program.
- Rationale Defend continued need for the Sec. 130 Program
- Benefits Making more efficient use of Federal funds
- Key Implementation Issues none

Project #3: Synthesis to evaluate human perception implications on rail safety

- Description Evaluating human perception to positively modify behavior
- Rationale Local authorities', media, and public misperception of rail dangers
- Benefits Reducing collisions, injuries, fatalities
- Key Implementation Issues none

Project #4: Institutionalization of evaluation as key component of projects

- Description Build "evaluation" into the planning stage of a project
- Rationale Building evaluation up front is most beneficial
- Benefits Identify and Maximize potential benefit
- Key Implementation Issues –Adds cost in the short-term, resistance due to being potential culture change for some organizations.

Project #5a: Improved effectiveness of stakeholder interaction

- Description Role definition and best practices for communication and coordination among diverse stakeholders
- Rationale Improving communication is always a good idea
- Benefits Improved effectiveness of stakeholder interaction
- Key Implementation Issues –Diverse group of stakeholders with entrenched interests and well defined positions.

Project #5b: Identify opportunities to make legislation/regs across jurisdictions compatible, meaningful and up to date

- Description Is the original legislation or regulation still relevant?
- Rationale Harmonization
- Benefits Streamlining of project implementation
- Key Implementation Issues Legislative and regulatory inertia, long lead times and powerful coalitions needed.

Acknowledgements

- William Browder, Association of American Railroads
- Ian Lake, Railway Safety Commission
- · Jay Holman, Union Pacific
- Karen Marshall, American Association of Suicidology
- Jordan Multer, USDOT Volpe Center
- Ronald Ries, Federal Railroad Administration
- Joy Schaad, Chicago Metropolitan Agency for Planning
- · John Shurson, BNSF Railway Company

Top Five Project Summary: Institutional Issues

R3 Third Research Needs Workshop on Highway-Rail de Crossing Safety and Tropaus Prevention

> Steve Laffey July 16, 2009

Top 33 Research Needs Summary Presentation

Anya A. Carroll, National Expert, Multimodal Surface Transportation Physical Infrastructure Systems Center of Innovation, Volpe Center









APPENDIX E. FINAL DAY DISCUSSIONS AND CLOSING REMARKS

1	U.S. DEPARTMENT OF TRANSPORTATION
2	RESEARCH AND INNOVATIVE TECHNOLOGY ADMINISTRATION
3	JOHN A. VOLPE NATIONAL TRANSPORTATION SYSTEMS CENTER
4	
5	FEDERAL RAILROAD ADMINISTRATION'S)
6	THIRD RESEARCH NEEDS WORKSHOP ON)
7	HIGHWAY-RAIL GRADE CROSSING SAFETY)
8	AND TRESPASS PREVENTION)
9	
10	
11	DAY 3 OF THIRD RESEARCH NEEDS WORKSHOP
12	CAMBRIDGE, MASSACHUSETTS
13	JULY 16, 2009
14	
15	
16	
17	
18	
19	ATKINSON-BAKER, INC.
20	COURT REPORTERS
21	(800) 288-3376
22	www.depo.com
23	
24	REPORTED BY: DONNA KIMMEL, CSR NO. 116293
25	FILE NO.: A306607

U.S. DEPARTMENT OF TRANSPORTATION RESEARCH AND INNOVATIVE TECHNOLOGY ADMINISTRATION JOHN A. VOLPE NATIONAL TRANSPORTATION SYSTEMS CENTER _ _ _ FEDERAL RAILROAD ADMINISTRATION'S) THIRD RESEARCH NEEDS WORKSHOP ON) б HIGHWAY-RAIL GRADE CROSSING SAFETY) AND TRESPASS PREVENTION) -----Day 3 of THIRD RESEARCH NEEDS WORKSHOP held at the John A. Volpe National Transportation Systems Center Auditorium, 55 Broadway, Cambridge, Massachusetts, commencing at 8:41 a.m., Thursday, July 16, 2009, before Donna Kimmel, CSR No. 116293.

```
1
                          PRESENTERS
 2
     WELCOME AND WORKING GROUP TOP FIVE SUMMARIES
 3
 4
    Welcomer: Debra Chappell
 5
    Facilitator: Marco P. daSilva
 б
 7
          Grade Crossing Modernization -- Brian Gilleran
 8
          Traffic Patterns -- Anya A. Carroll
 9
          New Technology Opportunities -- Rick Campbell
          Regulation and Enforcement -- Deborah M. Freund
10
          Education and Public Awareness -- Helen Sramek and
11
12
                                            Daniel Di Tota
13
          Institutional Issues: Steve Laffey
14
     RESEARCH NEEDS DISCUSSION AND PRIORITIZATION
15
16
          Facilitator: Anya A. Carroll, National Expert, Multimodal
17
          Surface Transportation Physical Infrastructure Systems
18
          Center of Innovation, Volpe Center
19
20
     FINAL THOUGHTS
21
          Len W. Allen, Program Manager and Workshop Steering
22
          Committee Chair, Federal Railroad Administration
23
24
25
```

1		I N D E X
2	SPEAKER	PAGE
3	MS. CHAPPELL	6, 94
4	MR. daSILVA	8, 13, 23, 34,
5		43, 53, 59, 67, 94
б	MR. GILLERAN	9
7	MS. CARROLL	13, 67, 69, 94
8	MR. BROWDER	14, 21, 23, 32, 35, 43
9	ATTENDEE 1	21
10	ATTENDEE 2	21
11	MR. POICHUK	21
12	MR. CAMPBELL	23, 79
13	MR. SOTTILE	34, 42, 81
14	MR. BROWN	38, 86
15	MR. DORER	39
16	MS. FREUND	44, 75
17	MR. MORRISON	49
18	MR. VESPA	50, 90
19	MR. OGDEN	51, 78
20	MS. SRAMEK	53
21	MR. DI TOTA	58
22	MR. LAFFEY	59
23	MR. WINDLEY	73, 81, 82, 85
24	MR. WORLEY	74
25	(Continued)	

1		CONTINUATION OF INDEX
2	SPEAKER	PAGE
3	MS. COOK	76
4	MS. XU	83
5	MR. O'BRIEN	87
б	ATTENDEE 3	87
7	MR. LAKE	87
8	MR. HU	91
9	MR. ALLEN	93
10	MR. LAUZON	95
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

DAY 3 OF THIRD FEDERAL RAILROAD ADMINISTRATION'S 1 2 RESEARCH NEEDS ON HIGHWAY-RAIL GRADE CROSSING SAFETY AND TRESPASS PREVENTION WORKSHOP 3 4 5 WELCOME AND WORKING GROUP TOP FIVE SUMMARIES б MS. CHAPPELL: Okay. We're going to get started now. 08:31:12 7 Good morning, everyone. 08:41:22 08:41:22 8 ATTENDEES: Good morning. MS. CHAPPELL: This is our last day here. And I have to 08:41:23 9 10 tell you, contrary to common belief here, it has been an 08:41:27 11 absolute joy. 08:41:32 I've appreciated the fact that -- and humbled in the 12 08:41:33 13 fact that this whole thing could not be put together without a 08:41:36 14 team. And as you all have seen, when the folks that are teamed 08:41:39 stand forward together, everyone achieves more. The grade 08:41:44 15 16 crossing team, my team management staff here at the Volpe 08:41:48 17 Center: Mirna Gustave, Rich Gopen and Craig Austin who manages 08:41:54 18 Webinar, I'm just humbled; and I appreciate everything you've 08:42:05 19 done to make it successful. So to you, I thank you. This all 08:42:08 08:42:11 20 could not have been done without you. 21 And a special thank you goes to Len Allen from 08:42:12 22 Federal Railroad Administration, Program Manager for the Grade 08:42:16 23 Crossing and Trespass Research and Development Program. A 08:42:16 24 special thank you to Len for his support. 08:42:23 08:42:26 25 So with that, let's move on to our business here.

б

1	We're going to go ahead and get started.	08:42:29
2	First things first. I want to make sure everybody	08:42:32
3	has a copy of the presentation today. If not, we'll make sure	08:42:35
4	that we get one to you.	08:42:38
5	We will have evaluations for this workshop, and we'll	08:42:40
б	be passing them out to you. Feel free to start with the	08:42:43
7	evaluations at your leisure and to drop them off at the counter	08:42:48
8	where Mirna stands, the orange counter. And if you get a	08:42:53
9	chance and you enjoyed yourself, just let her know. This is	08:42:57
10	what she does, and she does a fabulous job with everything.	08:43:01
11	The other thing is I'm doing quick lost and found in	08:43:06
12	here. I have a jump drive. I have I think it's a network	08:43:08
13	card. And in reserve Item No. 4, a pad full of notes. So if	08:43:16
14	any of this looks familiar, please let me know.	08:43:22
15	We also have outside a few copies of the Railway-	08:43:25
16	Highway Grade Crossing Handbook, or Highway-Railroad Grade	08:43:29
17	Crossing Handbook as some people refer to it. I ordered some	08:43:35
18	of those from FHWA to have here, and they're ready to go. It's	08:43:37
19	an extremely popular document everyone wants to hang onto. So	08:43:41
20	please feel free to take the documents. They're right outside.	08:43:45
21	What we're going to do now is go into our summary of	08:43:49
22	our break-out sessions. So to facilitate that will be our team	08:43:53
23	leader, Marco daSilva; but before he arrives on the stage here,	08:44:00
24	I just wanted to introduce to you Donna Kimmel. Donna Kimmel	08:44:05
25	is a court reporter from depo.com. What we're doing is that	08:44:10

1	we're transcribing all of the information, all the comments	08:44:17
2	here to make sure that we capture your comments, your thoughts	08:44:19
3	because it's important that we incorporate this information	08:44:23
4	into the proceedings of the Research Needs Workshop.	08:44:26
5	And with that, Erica and Dan will have the	08:44:29
6	microphones. I'd ask you to please hold for the mike before	08:44:34
7	you make comments or questions so that they can that Donna	08:44:39
8	can hear you and it can be captured. So with that I will turn	08:44:42
9	everything over now to Marco. Thank you.	08:44:49
10	MR. daSILVA: Good morning, everyone.	08:44:57
11	ATTENDEES: Good morning.	08:44:59
12	MR. daSILVA: Nice to see that most of you actually stayed	08:45:01
13	till the third day. I'd like to echo these comments about the	08:45:03
14	Volpe staff. I'm most proud of our staff for putting this	08:45:05
15	together and hanging on and doing a good job. So thank you	08:45:07
16	again, guys.	08:45:10
17	And also for you for participating throughout the	08:45:10
18	week, and especially yesterday putting your heads together,	08:45:12
19	really coming up coming up with some really good good	08:45:18
20	ideas.	08:45:19
21	So what we're going to do here today is first we're	08:45:20
22	going to start with the top five research needs from each	08:45:22
23	group, sort of a report out by the team leaders. And then	08:45:26
24	after each report out, if you have any questions, raise your	08:45:29
25	hand; and then when the mike gets to you, please ask them away.	08:45:33

1	So the first one will be the Grade Crossing	08:45:35
2	Modernization Group led by Brian Gilleran. And this is the key	08:45:38
3	area to focus on the identification evaluation of the	08:45:41
4	conventionally enhanced systems at or near highway rail grade	08:45:45
5	crossings.	08:45:51
6	So, Brian, if you want to come up.	08:45:51
7	ATTENDEES: (Applause.)	08:46:00
8	MR. daSILVA: We'll all give you a hand.	08:46:03
9	MR. GILLERAN: We'll wait.	08:46:03
10	Good morning, everyone. Thank you, Marco and Dee and	08:46:09
11	everyone here at the Volpe Center.	08:46:15
12	The Top Five Project Summaries For Grade Crossing	08:46:16
13	Modernization. Our top five consists of: a warning device	08:46:22
14	minimum requirement for 80- to 110-mile-per-hour trains. The	08:46:27
15	second one is flange-way gap solutions. No. 3 was	08:46:33
16	GPS-/positive-train-control-based constant warning sign system.	08:46:40
17	Second train warning devices for pedestrian crossings, and the	08:46:45
18	development and implementation of a personal detection device	08:46:48
19	for railroad workers.	08:46:51
20	The first one would be research and determine the	08:46:53
21	warning device requirements for high-speed corridors where	08:46:58
22	trains run in the 80- to 110-mile range, the rationale being	08:47:02
23	that the imminent deployment of high-speed rail corridors calls	08:47:08
24	for clear requirements for warning devices within the speed	08:47:09
25	range.	08:47:12

1	Among the benefits would be uniform high standard of	08:47:13
2	warning for road users at all high-speed rail crossings	08:47:17
3	nationwide.	08:47:20
4	Among the key implementation issues, we identified	08:47:21
5	the need to develop a firm basis for these standardized	08:47:24
6	nationwide warning device requirements.	08:47:31
7	Priority No. 2, the development of a flange-way gap	08:47:32
8	filler for use at grade crossings because, as we all know,	08:47:37
9	currently the flange-way gap at the grade crossing is a problem	08:47:43
10	for wheelchair and other nonmotorized users. The rationale	08:47:46
11	being the need to develop an effective treatment for rail	08:47:51
12	crossings so that any road users may cross the tracks at the	08:47:54
13	intended crossing without the risk of entrapment.	08:47:58
14	The benefit obviously would be safer and more uniform	08:48:01
15	mobility for all classes of road users.	08:48:05
16	Among the key implementation issues we identified,	08:48:07
17	the material used to fill the gap must be able to withstand the	08:48:09
18	harsh railroad environment, both the wheel impacts and the UV	08:48:15
19	and other environmental long-term impacts.	08:48:19
20	No. 3, the development of a constant warning time	08:48:22
21	system based on GPS and positive train controlling works.	08:48:25
22	The rationale, a constant-warning-time system	08:48:34
23	obviously is desirable at a grade crossing; but with current	08:48:37
24	technology and methodologies it's not practical at many	08:48:40
25	crossings that could derive a benefit from constant warning	08:48:43

1 time.

08:48:47

	cinc.	00.10.1/
2	And obviously the benefit would be the opportunity to	08:48:47
3	make these benefits of constant warning time available at many	08:48:48
4	more public crossings.	08:48:51
5	Among the key implementation issues we identified,	08:48:53
6	that the developed system would have to be compatible with the	08:48:56
7	existing population of crossing warning systems so that they	08:48:59
8	all work together effectively.	08:49:04
9	No. 4, the development of a universal active warning	08:49:06
10	device to let pedestrians know when a second train is	08:49:11
11	approaching their location. The rationale being that	08:49:15
12	pedestrians moving within station areas and at other crossings	08:49:18
13	will need external cues to alert them to an unseen potential	08:49:22
14	danger.	08:49:30
15	The benefits would be, among other things, a	08:49:31
10		
16	reduction in pedestrian injuries and fatalities while also	08:49:32
	reduction in pedestrian injuries and fatalities while also creating a better working environment for the train crews.	08:49:32 08:49:37
16		
16 17	creating a better working environment for the train crews.	08:49:37
16 17 18	creating a better working environment for the train crews. Among key implementation issues we identified is the	08:49:37 08:49:41
16 17 18 19	creating a better working environment for the train crews. Among key implementation issues we identified is the need to determine how best to communicate a complex message of	08:49:37 08:49:41 08:49:44
16 17 18 19 20	creating a better working environment for the train crews. Among key implementation issues we identified is the need to determine how best to communicate a complex message of second train location and second train direction of travel.	08:49:37 08:49:41 08:49:44 08:49:45
16 17 18 19 20 21	creating a better working environment for the train crews. Among key implementation issues we identified is the need to determine how best to communicate a complex message of second train location and second train direction of travel. No. 5, the development of a type of personal	08:49:37 08:49:41 08:49:44 08:49:45 08:49:50
16 17 18 19 20 21 22	<pre>creating a better working environment for the train crews. Among key implementation issues we identified is the need to determine how best to communicate a complex message of second train location and second train direction of travel. No. 5, the development of a type of personal protection device that would be based upon the GPS or positive-</pre>	08:49:37 08:49:41 08:49:44 08:49:45 08:49:50 08:49:53
16 17 18 19 20 21 22 23	<pre>creating a better working environment for the train crews. Among key implementation issues we identified is the need to determine how best to communicate a complex message of second train location and second train direction of travel. No. 5, the development of a type of personal protection device that would be based upon the GPS or positive- train-control technology inputs that a railroad employee could</pre>	08:49:37 08:49:41 08:49:44 08:49:45 08:49:50 08:49:53 08:49:58

11

1 performing their work tasks.

08:50:12

2	The rationale for this would be to enhance the safety	08:50:13
3	of workers at grade crossings and also a secondary benefit	08:50:17
4	elsewhere on the railroad.	08:50:21
5	The benefits would be a reduction in roadway work	08:50:23
б	injuries and fatalities while providing a safer and more	08:50:27
7	productive workplace.	08:50:30
8	Among the key implementation issues we identified,	08:50:31
9	any such device must operate in a fail-safe condition to be	08:50:34
10	used in the railroad industry.	08:50:40
11	I'd like to make acknowledgements of all the people	08:50:42
12	that worked on the working group with me. First of all, the	08:50:45
13	Volpe staff that we were lucky enough to work with. Rachel,	08:50:48
14	Steve and Erica did an outstanding job. We would not have the	08:50:55
15	experience of success that we did without their hard work and	08:50:59
16	patience and diligence.	08:51:02
17	On my team was Leonard Allen from FRA; William	08:51:02
18	Barringer from Norfolk Southern; Ed Boni, Interactive Elements	08:51:07
19	Incorporated; Mark Ciurej, Brotherhood of Railroad Signal;	08:51:14
20	Jessica Franklin, TTI; Dan Guerrero, Metrolink; Paul O'Brien,	08:51:19
21	Utah Transit Authority; Ed O'Connor, Massachusetts Operation	08:51:25
22	Lifesaver; David Peterson from the Union Pacific Railroad;	08:51:26
23	Phillip Poichuk from Rail Safety, Transport Canada; Scott	08:51:28
24	Windley from U.S. Access Board; and Paul Worley from North	08:51:34
25	Carolina Department of Transportation.	08:51:38

12

2appreciation for the time, diligence and efforts of these08:51:413transportation professionals in coming from far and wide. In a08:51:444time when travel dollars are very scarce, these people put in08:51:475the time, made the effort to do the work that produced our work08:51:526products here today. So thanks to everybody involved.08:52:008until everybody's made their presentation? How do we want to08:52:039do this?08:52:0610MS. CARROLL: Now.08:52:0611MR. GILLERAN: Now? If there are any questions for the08:52:1612grade crossing modernization top five items, please let me08:52:1613know; and I will try as best I can to provide some measure of08:52:2615Once. Twice. Seeing none, I will yield the floor.08:52:2116Thanks very much. And again, thanks to everyone who's been08:52:3118ATTENDEES: (Applause.)08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4122its impact on highway-rail grade crossings, safety and railroad08:52:4223infrastructure. The team leader was Anya Carroll.08:52:5925ATTENDEES: Good morning.08:52:02	1	I personally could not possibly overstate my	08:51:39
4time when travel dollars are very scarce, these people put in08:51:475the time, made the effort to do the work that produced our work08:51:526products here today. So thanks to everybody involved.08:51:567And are we taking questions now, or are we waiting08:52:008until everybody's made their presentation? How do we want to08:52:039do this?08:52:0610MS. CARROLL: Now.08:52:0712grade crossing modernization top five items, please let me08:52:1613know; and I will try as best I can to provide some measure of08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:54	2	appreciation for the time, diligence and efforts of these	08:51:41
5the time, made the effort to do the work that produced our work08:51:526products here today. So thanks to everybody involved.08:51:567And are we taking questions now, or are we waiting08:52:008until everybody's made their presentation? How do we want to08:52:039do this?08:52:0610MS. CARROLL: Now.08:52:0712grade crossing modernization top five items, please let me08:52:1613know; and I will try as best I can to provide some measure of08:52:2314satisfaction.08:52:2315Once. Twice. Seeing none, I will yield the floor.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4223infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:54	3	transportation professionals in coming from far and wide. In a	08:51:44
6products here today. So thanks to everybody involved.08:51:567And are we taking questions now, or are we waiting08:52:008until everybody's made their presentation? How do we want to08:52:039do this?08:52:0610MS. CARROLL: Now.08:52:0712grade crossing modernization top five items, please let me08:52:1613know; and I will try as best I can to provide some measure of08:52:2314satisfaction.08:52:1615Once. Twice. Seeing none, I will yield the floor.08:52:2617involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:54	4	time when travel dollars are very scarce, these people put in	08:51:47
7And are we taking questions now, or are we waiting08:52:008until everybody's made their presentation? How do we want to08:52:039do this?08:52:0610MS. CARROLL: Now.08:52:0611MR. GILLERAN: Now? If there are any questions for the08:52:0712grade crossing modernization top five items, please let me08:52:1013know; and I will try as best I can to provide some measure of08:52:1614satisfaction.08:52:1615Once. Twice. Seeing none, I will yield the floor.08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4222is impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:54	5	the time, made the effort to do the work that produced our work	08:51:52
8until everybody's made their presentation? How do we want to08:52:039do this?08:52:0610MS. CARROLL: Now.08:52:0611MR. GILLERAN: Now? If there are any questions for the08:52:0712grade crossing modernization top five items, please let me08:52:1013know; and I will try as best I can to provide some measure of08:52:1614satisfaction.08:52:1615Once. Twice. Seeing none, I will yield the floor.08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4621creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:54	6	products here today. So thanks to everybody involved.	08:51:56
9do this?08:52:0610MS. CARROLL: Now.08:52:0711MR. GILLERAN: Now? If there are any questions for the08:52:0712grade crossing modernization top five items, please let me08:52:1113know; and I will try as best I can to provide some measure of08:52:1614satisfaction.08:52:1615Once. Twice. Seeing none, I will yield the floor.08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:2617involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4621creating a better understanding of highway traffic patterns,08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	7	And are we taking questions now, or are we waiting	08:52:00
10MS. CARROLL: Now.08:52:0711MR. GILLERAN: Now? If there are any questions for the08:52:0712grade crossing modernization top five items, please let me08:52:1113know; and I will try as best I can to provide some measure of08:52:1614satisfaction.08:52:2315Once. Twice. Seeing none, I will yield the floor.08:52:2616Thanks very much. And again, thanks to everyone who's been08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4221creating a better understanding of highway traffic patterns,08:52:4423infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	8	until everybody's made their presentation? How do we want to	08:52:03
11MR. GILLERAN: Now? If there are any questions for the08:52:0712grade crossing modernization top five items, please let me08:52:1113know; and I will try as best I can to provide some measure of08:52:1614satisfaction.08:52:2315Once. Twice. Seeing none, I will yield the floor.08:52:2616Thanks very much. And again, thanks to everyone who's been08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4621creating a better understanding of highway traffic patterns,08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	9	do this?	08:52:06
12grade crossing modernization top five items, please let me08:52:1113know; and I will try as best I can to provide some measure of08:52:1614satisfaction.08:52:1615Once. Twice. Seeing none, I will yield the floor.08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:2617involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns, infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	10	MS. CARROLL: Now.	08:52:06
13know; and I will try as best I can to provide some measure of08:52:1614satisfaction.08:52:1615Once. Twice. Seeing none, I will yield the floor.08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:2617involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	11	MR. GILLERAN: Now? If there are any questions for the	08:52:07
14satisfaction.08:52:1615Once. Twice. Seeing none, I will yield the floor.08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:2617involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:5423infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	12	grade crossing modernization top five items, please let me	08:52:11
15Once. Twice. Seeing none, I will yield the floor.08:52:2316Thanks very much. And again, thanks to everyone who's been08:52:3617involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:5423infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	13	know; and I will try as best I can to provide some measure of	08:52:16
16Thanks very much. And again, thanks to everyone who's been08:52:2617involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5924MS. CARROLL: Good morning, everyone.08:52:59	14	satisfaction.	08:52:16
17involved.08:52:3118ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	15	Once. Twice. Seeing none, I will yield the floor.	08:52:23
18ATTENDEES: (Applause.)08:52:3119MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	16	Thanks very much. And again, thanks to everyone who's been	08:52:26
19MR. daSILVA: Thank you, Brian.08:52:4120Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	17	involved.	08:52:31
20Next one is traffic patterns. Focused on the08:52:4121creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	18	ATTENDEES: (Applause.)	08:52:31
21creating a better understanding of highway traffic patterns,08:52:4622its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	19	MR. daSILVA: Thank you, Brian.	08:52:41
22its impact on highway-rail grade crossings, safety and railroad08:52:4923infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	20	Next one is traffic patterns. Focused on the	08:52:41
23infrastructure. The team leader was Anya Carroll.08:52:5424MS. CARROLL: Good morning, everyone.08:52:59	21	creating a better understanding of highway traffic patterns,	08:52:46
24 MS. CARROLL: Good morning, everyone. 08:52:59	22	its impact on highway-rail grade crossings, safety and railroad	08:52:49
	23	infrastructure. The team leader was Anya Carroll.	08:52:54
25ATTENDEES: Good morning.08:53:02	24	MS. CARROLL: Good morning, everyone.	08:52:59
	25	ATTENDEES: Good morning.	08:53:02

MS. CARROLL: Okay. Just a few anecdotal notes to share 1 08:53:04 2 about our team. We had a dynamic --08:53:07 3 MR. BROWDER: In one minute. 08:53:10 4 MS. CARROLL: Pardon me? 08:53:11 5 MR. BROWDER: Less than one minute. 08:53:13 6 MS. CARROLL: Oh, no war stories? 08:53:14 7 MR. BROWDER: No. 08:53:16 8 MS. CARROLL: We had a very dynamic, diverse team; and 08:53:16 I'll share with you folks in a slide later on. We came up with 9 08:53:20 something like 56 independent ideas that the group diligently 10 08:53:26 put together and crafted 24 separate condensed ideas of which 08:53:35 11 12 we came up with 16 one-pagers, and I'm going to show you six of 08:53:46 13 08:53:53 them. 14 We did have a dot-malfunction; so when we did our 08:53:53 ranking, we -- the team decided to include six rather than five 08:54:00 15 16 priorities for your digestion. And our seventh one we had 08:54:06 17 three projects that were tied for seventh place, so we're going 08:54:16 18 to show you the top six today. 08:54:20 19 So our top six included, very similar to the grade 08:54:22 20 crossing modernization team, the application of warning device 08:54:26 21 treatment at high-speed rail corridors. Our next one, highway 08:54:29 22 traffic signal preexemption at highway-rail grade crossings. 08:54:35 23 The third priority was the effectiveness of gates for 08:54:41 24 pedestrians. The third one was the signage at roundabouts. 08:54:46 The fourth one was guide decision making at complex crossings. 25 08:54:50

14

1And out sixth one was the review and improvement of hazard08:54:562indices and accident prediction formula.08:55:00

3 Now, we decided -- the group as a whole decided to 08:55:04 4 use the systems approach. So we looked at the user, the 08:55:08 5 environment and the interaction thereof. So that's why we have 08:55:11 6 so many diverse research needs. So those are the top six. 08:55:15

7 The application of high-speed -- warning devices at 08:55:22 8 high speed, we had an interesting discussion on this one. And 08:55:29 the group did decide to go for just the high-speed operations, 9 08:55:37 10 although personally I feel that lower speeds should be included 08:55:41 in this type of regime; but it's to determine the adequate 08:55:45 11 12 warning devices for high-speed rail up to 110 miles an hour, 08:55:49 13 determine or evaluate whether or not existing types of warning 08:55:55 14 devices are adequate for use on high-speed rail corridors. 08:55:58 Above 79 miles an hour should different devices be required and 08:56:01 15 16 at what speeds? Recommend treatments for pedestrian traffic at 08:56:05 17 high-speed rail crossings, identify pathway crossing treatments 08:56:10 for high-speed rail as well. 18 08:56:16 Our rationale, actually, we had quite a number of 08:56:20 19 20 discussions; but when I reviewed the one-pagers, this 08:56:23

21particular topic covers three of the four cross-cutting issues;08:56:2622and I think that's a good rationale for moving forward with08:56:3123this one.08:56:3424And the benefits are you standardize the treatments08:56:3525for more effective and efficient design and to reduce the08:56:38

15

1 likelihood of incidents at high-speed rail crossings. 08:56:42 2 Key implementation issues, it's a broad scope in 08:56:46 3 dealing with high-speed rail; and we have a large number of 08:56:49 4 stakeholders that would be necessary to move forward with this 08:56:55 5 one. 08:56:58 6 Highway traffic signal preemption at highway-rail 08:56:59 7 grade crossings, we need to assess best practices nationally to 08:57:04 8 determine proper application of use of traffic signal 08:57:08 9 preemption at highway-rail grade crossings, determine proper 08:57:12 10 use of advanced preemption versus simultaneous preemption, 08:57:18 review the equipment, hardware and software, particularly on 08:57:23 11 12 the traffic signal controller side to ensure those devices get 08:57:29 13 adequately -- adequately perform preemption as intended. 08:57:32 14 Also assess best practices of field -- of the field 08:57:36 reviewing preemption, research accident reports to identify hot 08:57:41 15 16 spots and factors relevant to preemption. 08:57:45 17 Again, the rationale could be that these -- this area 08:57:45 is -- cuts across three of the cross-cutting areas. The 08:57:46 18 benefits are to reduce incidents and more -- and to create more 08:57:53 19 20 efficient conflict management. 08:57:53 21 Some of the key implementation issues is it is a high 08:57:56 22 cost to look at this area, and to implement it would be 08:57:59 difficult -- would have some difficulty. 08:58:02 23 24 Signage at roundabouts. Well, you heard Mark 08:58:04 25 Morrison's presentation two days ago. He was very passionate 08:58:09

16

1in his presentation. We do need to address this up-and-coming08:58:142environment within the highway-rail crossing intersection, and08:58:233we need to evaluate alternatives for advanced warning signs08:58:264within a close proximity to roundabouts.08:58:32

5 We need to develop an advanced warning sign for a 08:58:34 б crossing located within a hundred feet of the yield line at the 08:58:37 7 roundabout. There is currently no equivalent series of signs 08:58:39 8 to the W10-2, -3 or -4 for crossings in close proximity to 08:58:44 08:58:53 9 roundabouts. A sign also needs to be developed for situations 08:58:55 where the rail line runs directly through roundabout. 10

11We need to review the body of existing literature and08:58:5912international examples and gather information for development08:59:0013of warrants. Once again, this area covers three of the four08:59:0414cross-cutting areas: high-speed rail, transit-oriented08:59:0815development and human factors.08:59:14

16The benefits would be to provide a national standard08:59:1617for input to the manual on newborn traffic control devices.08:59:1918The implementation issues is a medium cost, but it's08:59:2719easy to implement.08:59:30

The next one is driver decision-making at complex 20 08:59:32 crossings. I did not get a chance to review the 2003 research 08:59:36 21 22 needs workshop. I think this one actually is resonant from six 08:59:42 years ago, but the group felt that it should move forward in a 08:59:47 23 24 presentation to you as a priority. Close proximity between 08:59:51 25 railroad tracks and complex intersections such as roundabouts 08:59:57

17

1 and multiple access roads near railroad crossings, drivers must 09:00:01 2 divide their attention and make decision in a short period of 09:00:06 3 The purpose of the work would be to -- excuse me --09:00:09 time. 4 better understand driver performance and information needed in 09:00:12 5 order to provide means to reduce driver error, and our expected 09:00:17 б outcome would be input to the design process and safety review 09:00:22 7 and enhancements at grade crossings. 09:00:27 8 09:00:30 As I mentioned here, I'm quite sure that this was part of the research needs workshop in 2003, and also this 09:00:35 9 would be a supplemental area of research. Transport Canada did 09:00:40 10 11 some work on visual constituity looking at the grade-crossing 09:00:46 12 signs and signals. 09:00:55 13 The benefits, would reduce driver confusion and 09:00:56 14 information overload, would reduce driver error and improve 09:01:00 safety and mobility. 09:01:02 15 16 Implementation issues, we ranked it as low urgency; 09:01:04 17 but that's because it's a basic research premise. We need to 09:01:10 09:01:14 18 understand what's happening in this area. And the implementation -- the ease of implementation would be medium. 09:01:19 19 20 Review and improvement of hazard indices and accident 09:01:25 prediction formula. This was our last one that made the cut. 09:01:31 21 22 And for those of you practitioners in the audience, we realize 09:01:41 23 that the last update to this formula and the indices was in 09:01:46 24 1987. So we need new methods for evaluating the systems safety 09:01:51 25 performance of crossings. The ATI calculation has become less 09:01:58

18

1 available as the majority of the crossings with high train and 09:02:04 2 high traffic volumes have been signalized or grade-separated. 09:02:09 3 The risk of a low-volume crossing is not fully reflected in the 09:02:13 4 current evaluation standard, and the API calculation may 09:02:18 5 indicate crossings for upgrade that do not warrant 09:02:22 б signalization. 09:02:26 7 A standardized evaluation method should be 09:02:27 established for multiple agency use. This covers two of the 8 09:02:28 four cross-cutting areas, human factors and data requirements. 09:02:30 9 10 And the benefits would be a holistic evaluation 09:02:35 method, will help state agencies to select crossings that most 09:02:40 11 12 deserve improvements. That was a very creative writing group. 09:02:46 13 09:02:53 It's high urgency, and its ease of implementation is 14 medium. 09:02:57 15 Just a quick snapshot of some of the other ones that 09:02:57 16 we crafted, and eventually Volpe will release all of the 09:03:00 17 one-page projects; but we looked at driver reaction to active 09:03:05 18 advance warning signs, driver compliance to the do-not-stop-on-09:03:09 track signs, driver behavior at crossings with mixed train 09:03:14 19 20 traffic. That was a question that Jo Strang had after hearing 09:03:21 21 some of our presentations on the first day. 09:03:27 22 The impact of storage information signs on long 09:03:29 23 combination vehicle use, which is of interest to FMCSA. 09:03:32 24 Railroad signals through roundabouts, again, this was another 09:03:40 09:03:43 25 area that has not been addressed.

19

1 Identify barriers to crossing consolidation 09:03:46 2 implementation, Dr. Magdy El-Sibaie questioned why he could 09:03:50 3 only close 4,000 crossings a year. 09:04:00 4 Method for estimating traffic volumes at grade 09:04:02 5 crossings where counts are not available. 09:04:05 6 Review of current GIS methods and data for hot-spot 09:04:05 7 analysis, this relates to Karen Marshall and her suicidology as 09:04:08 8 well as some of the work that's being done in Transport Canada. 09:04:11 9 Investigate safety performance of grade crossings 09:04:16 using microsimulation, University of Waterloo under the 09:04:18 10 11 auspices of Dr. Frank Saccomanno has done a lot of work in the 09:04:21 12 area of risk and modeling; and that was an area we thought was 09:04:26 13 09:04:31 worth pursuing. 14 And best methods for linkage or sharing of crossing 09:04:32 15 data, traffic data, collision data amongst all stakeholders. 09:04:37 So I would like to acknowledge our team. Could my 16 09:04:44 17 team please stand up? 09:04:48 Jim Kreiger, Canadian Pacific; Carolyn Cook, FRA; 18 09:04:51 Shou-Ren Hu from Taiwan, from the University of Cheng Kung; 09:04:59 19 20 Chip Frazier, Oi Kei Ng from Waterloo; John Mitchell from MBCR; 09:05:00 21 Brann Greager; Daniel LaFontaine from Transport Canada; Mark 09:05:12 22 Morrison from WisDOT; and Lisandra Garay-Vega from the Volpe 09:05:12 23 Center. Thank you very much. 09:05:19 24 ATTENDEES: (Applause.) 09:05:19 09:05:20 25 MS. CARROLL: I couldn't have done this without you.

20

1	Any questions? I'm going to have the team answer the	09:05:29
2	questions.	09:05:31
3	ATTENDEE 1: What happened to No. 5, pedestrian gates?	09:05:31
4	MR. BROWDER: You've got two 9s and no 5.	09:05:37
5	ATTENDEE 2: You're not making an error. The slide just	09:05:37
6	isn't there.	09:05:42
7	MS. CARROLL: The slide's just not there right now,	09:05:42
8	I guess.	09:05:45
9	ATTENDEE 2: Oh, you repeated 9.	09:05:46
10	MS. CARROLL: Oh, sorry. We'll fix it.	09:05:49
11	MR. BROWDER: I'm here from the Government to help you.	09:05:51
12	MS. CARROLL: Thank you.	09:05:53
13	Okay. We've got two roving mikes, so	09:06:04
14	MR. POICHUK: I want to express my happiness in seeing	09:06:04
15	roundabouts making your cut of six, but I respectfully suggest	09:06:07
16	that this goes a lot deeper than signage. Roundabouts are	09:06:13
17	widely being seen as a replacement for intersections by the	09:06:16
18	traffic operations community.	09:06:20
19	MS. CARROLL: Mr. Poichuk, could you please introduce	09:06:22
20	yourself for our court reporter and tell her where you're from?	09:06:25
21	MR. POICHUK: Certainly. Phil Poichuk from Transport	09:06:29
22	Canada.	09:06:36
23	Going back to roundabouts, they're widely being seen	09:06:36
24	by the traffic operations community as a replacement for	09:06:38
25	intersections that are about to be signalized, largely as we	09:06:43
1	heard at the presentations due to energy consumption and	09:06:47
----	---	----------
2	also cost. The U.S. has just come to their solution to having	09:06:50
3	these stop-sign crossings that are proximate to grade	09:06:57
4	crossings. They've been a thorn in the side of rail safety	09:07:04
5	practitioners for years. And, in fact, I look at the MUTCD and	09:07:08
б	the U.S. Warrant 9 as being a solution to that because, of	09:07:13
7	course, it would force signalization so then you can	09:07:16
8	interconnect.	09:07:19
9	The problem with roundabouts is you can't	09:07:19
10	interconnect them, and you still have the right-of-way	09:07:23
11	assignment at roundabouts that requires the exiting that the	09:07:26
12	vehicles on the approach exiting from a crossing to yield.	09:07:31
13	Not as bad as a stop; but, nonetheless, the fact that there's a	09:07:34
14	right-of-way assignment against the person that may get hung up	09:07:39
15	on a crossing it might be a truck, for example that's	09:07:43
16	still a thorn in the side now. So it sort of regurgitates the	09:07:47
17	whole problem again.	09:07:51
18	I would respectfully suggest that the research try	09:07:52
19	and investigate the area of right-of-way assignments so that we	09:07:54
20	can come up with some sort of a unified and consistent position	09:07:58
21	from the rail safety community on that. Thank you.	09:08:01
22	MS. CARROLL: We actually the group came up with three	09:08:05
23	separate research needs: one on highway signs, one on highway	09:08:08
24	signals and one on railroad signals; but only one made the top	09:08:16
25	cut.	09:08:20

1	Anybody else with a question?	09:08:22
2	Thank you very much. Sorry for my human error.	09:08:26
3	ATTENDEES: (Applause.)	09:08:32
4	MR. daSILVA: Okay. Next we'll move on to new technology	09:08:44
5	opportunities which really focus on innovative technologies and	09:08:48
б	high transfer opportunities to test for probabilities within	09:08:54
7	the rail infrastructure, and that was led by Rick Campbell.	09:08:56
8	MR. BROWDER: You ought to get a hand, too.	09:09:14
9	ATTENDEES: (Applause.)	09:09:14
10	MR. BROWDER: You're not that bad a guy.	09:09:17
11	MR. CAMPBELL: Thank you, Bill.	09:09:20
12	Greetings. I won't make everybody say, "Good	09:09:21
13	morning," again. That gets to be redundant.	09:09:24
14	Well, as you can see, as a lot of times happens, we	09:09:26
15	turned out to be the mavericks. We couldn't be happy with five	09:09:33
16	research need statements as the top picks, so we actually kind	09:09:38
17	of jointly put the sixth one together based on work that Helen	09:09:42
18	and her work did regarding GPS. And it's interesting to note	09:09:46
19	that, while we had some very parallel work that happened in	09:09:50
20	that area, we also have some other parallel topics as well with	09:09:54
21	some of the other groups on this group with devices for high-	09:09:58
22	speed train applications, which it's interesting because there	09:10:04
23	are obviously a lot of us in this group that are focused on	09:10:07
24	similar needs and we chose to come at them from different	09:10:10
25	directions in the work that we did.	09:10:14

1	But to review our top six choices, the first one, the	09:10:17
2	top choice that we had, was alternative sensors and warning	09:10:21
3	systems for vital applications. No. 2 was pedestrian	09:10:26
4	nonmotorized and limited mobility treatments. No. 3 was	09:10:31
5	on-track vehicle protection. No. 4, effectiveness of LED-	09:10:37
6	enhanced grade crossing traffic control signs. No. 5, the	09:10:42
7	minimum traffic control devices for high-speed train highway-	09:10:47
8	rail grade crossings. And No. 6, enhanced commercial GPS	09:10:52
9	systems to improve highway-rail grade crossing safety.	09:10:56
10	No. 1, the alternative sensors of warning systems for	09:10:59
11	vital applications, this was interesting. It's actually	09:11:02
12	intended to develop a viable, nontraditional and what we	09:11:04
13	mean by "nontraditional" is nonrail-based means for train	09:11:10
14	detection and communication. The rationale is that the	09:11:14
15	existing technology, rail-based technology has significant	09:11:17
16	limitations, a lot of them which come from the electrical	09:11:21
17	application of the devices. And this is, again, an off-rail	09:11:25
18	solution that has some significant benefits to reduce costs	09:11:28
19	associated with warning devices and applications that require	09:11:35
20	additional time such as traffic signal preemption and	09:11:39
21	interconnection for connection of vehicles prior to train	09:11:43
22	arrival and even for some other types of devices such as	09:11:47
23	four-quadrant gates where we have to figure in additional time	09:11:51
24	for the exit-gate clearance-time value.	09:11:55
25	And we believe that there is existing technology out	09:11:58

1 there that's capable of doing a lot of this, but we need some 09:12:02 2 additional research to be able to extend and define exactly 09:12:08 3 what that technology is capable of providing and then how we 09:12:12 4 would integrate it into existing crossing warning systems. So 09:12:15 5 the group felt this was our No. 1 choice because we see so much 09:12:20 б need now for additional warning time. And in so many cases the 09:12:25 7 costs are extremely high, okay -- half a million dollars or 09:12:30 8 more -- to provide added time on top of the cost of the warning 09:12:34 system. So that was No. 1. 09:12:39 9 No. 2 dealt with pedestrian, nonmotorized and limited 10 09:12:42 mobility treatments; and the project, the research needs 09:12:47 11 12 project is intended to identify and evaluate technology -- both 09:12:50 13 existing and new -- at active and passive highway-rail grade 09:12:57 14 crossings. And the rationale behind this is that we need to 09:13:04 develop standards and potentially warrants for the use of 09:13:08 15 16 treatments for these conditions. 09:13:09 17 Right now the industry essentially takes a shotgun 09:13:12 approach to it that in many cases pedestrian, nonmotorized and 09:13:16 18 limited mobility needs are not even addressed. You saw some 09:13:22 19 20 pictures the day before yesterday about items such as sidewalks 09:13:23 21 that stop at the railroad right-of-way line, surfaces that had 09:13:25 22 not been properly treated, use or misplacement of truncated 09:13:30 23 domes and in many cases the total absence of active warning 09:13:36 24 devices for pedestrians. 09:13:41 25 And we believe that this entire area needs a global 09:13:43

25

look at it -- at, like I said, treatments old and new but also 1 09:13:47 2 some standards for application and warrants to determine their 09:13:51 3 use. We also have a fear that there will be a wholesale 09:13:55 4 application of every potential device at every crossing, and in 09:13:59 5 many cases they're not needed. 09:14:07 б We need a reasonable method -- much like warranting 09:14:08 7 for traffic signals -- to determine which devices are really 09:14:12 8 necessary at a given location. Surfaces and approaches may be 09:14:15 required at all locations, but we may not need pedestrian gates 09:14:22 9 10 at all locations. So that's the intent of this, is to develop 09:14:26 11 a workable tool that can be used to develop the standards for 09:14:31 12 application of use. 09:14:35 13 Obviously the benefits of this particular research is 09:14:36 14 improved safety for these crossing users; and the key 09:14:39 implementation issue, as we see it, is that there's an ever-09:14:43 15 16 increasing demand right now to meet pedestrian needs at transit 09:14:47 17 and passenger stations and also just generally accessibility 09:14:54 09:14:59 18 needs, not only at stations but at all highway-rail grade crossings. 09:15:04 19 No. 3, on-track vehicle detection, an interesting 20 09:15:04 project. We've learned that many railroads have had numerous 09:15:08 21 22 collisions between on-track equipment -- high-rail-type 09:15:14 23 vehicles, track machines, that sort of equipment -- and road 09:15:20 24 users at highway-rail grade crossings; and in many cases the 09:15:24 25 active warning systems do not operate because those vehicles 09:15:29

26

were insulated. They don't shunt or short the rails together 09:15:33
 to activate the warning systems. 09:15:33

3 And there have been limited attempts at a methodology 09:15:41 4 that would provide for reliable activation of warning devices 09:15:43 5 when this equipment approaches a crossing, and it's critical 09:15:45 б that when that equipment approaches a crossing it activates the 09:15:50 7 crossing that they wish to traverse over but also not 09:15:54 8 downstream crossings. So this project actually develops a 09:15:58 system for on-track vehicles to activate the warning devices at 09:16:04 9 10 crossings, and we believe that it will have a significant 09:16:10 safety impact for road users and railroad employees because it 09:16:13 11 12 will essentially eliminate these collisions by providing 09:16:16 13 increased safety by activation of the active warning devices. 09:16:20 14 There's some limitations and challenges to 09:16:27 15 implementation of this because, as I mentioned earlier, the 09:16:30 16 system needs to focus on specific crossings. It needs to 09:16:34 17 address the potential for multiple track machines that may show 09:16:37 18 up simultaneously and also needs to be capable of dealing with 09:16:40 an on-track equipment such as a high-rail vehicle that may stop 09:16:44 19 20 on the crossing, pick up the rail wheels and then drive off on 09:16:49 21 the road surface. So there are a few challenges. 09:16:53 22 We also recognize that radio, which has been used in 09:16:55 23 the past, may not be the correct answer due to channel 09:16:59

25 available and given -- especially in large metropolitan 09:17:08

congestion. In many cases railroads have limited frequencies

24

27

09:17:03

areas -- the use of -- the repeated use of DTMF or touch tones 1 09:17:12 2 on the radio frequency crossing after crossing could almost 09:17:16 3 hinder voice traffic between trains and dispatchers. So 09:17:20 4 another interesting segment for technology to be used for 09:17:26 5 critical safety issue. 09:17:29 б No. 4 is effectiveness of LED-enhanced grade crossing 09:17:31 7 traffic control signs. We spent a lot of time discussing this 09:17:39

8 particular item. And the research we're looking at is to 09:17:39
9 evaluate the effectiveness of these LED-enhanced signs at 09:17:42
10 highway-rail grade crossings. 09:17:47

11 The rationale is that the current signage right now 09:17:49 12 competes for driver attention. In urban areas there are so 09:17:53 13 many signs that the roadway users have to deal with and 09:17:57 process, but also in rural applications this is a means to be 09:18:01 14 able to attract driver attention where they tend to get lulled 09:18:06 15 16 into a tunnel-vision-almost approach as a driver may become 09:18:10 17 lulled into a stretch of roadway that's straight and level 09:18:16 18 where they tend to almost get into a semi-tranquil state. 09:18:20

We believe that the benefits of this are that it's a 09:18:24 19 20 low-cost means to increase safety, may in fact be one of the 09:18:27 potential solutions to the elusive low-cost warning system. We 09:18:31 21 22 believe that because we've always looked at low-cost warning 09:18:37 23 systems as trying to be applications of lights and gates and 09:18:41 24 similar devices; but, in fact, it may that we need a different 09:18:44 type of traffic control device as our low-cost warning system. 25 09:18:49

28

1 A key implementation issue to deal with this is we 09:18:53 2 need to develop a national standard for use of the devices. 09:18:56 3 Right now these devices are gaining in popularity; and there 09:19:00 4 are a lot of different viewpoints as to how they're applied, 09:19:04 5 whether it's a 24/7 operation or train activated, approaching-09:19:08 б vehicle activated, maybe only blink with the nighttime hours. 09:19:15 7 So we need to develop a standard for application and use of 09:19:20 8 these devices. 09:19:24 9 No. 5 dealt with some minimum traffic control devices 09:19:25 10 for high-speed trains at highway-rail grade crossings. And we 09:19:30 looked at whether in the global approach, a specific question, 09:19:38 11 12 that has been addressed and discussed; and that's development 09:19:41 13 of a model to evaluate the effectiveness of four-quadrant-gate 09:19:43 14 warning systems versus the use of barrier gates on high-speed 09:19:49 15 train corridors. 09:19:55 16 And the rationale is we need to determine if the use 09:19:57 17 of barrier gates is a reliable, cost-effective measure to use 09:20:00 09:20:04 18 in lieu of four-quadrant gates. In other words, is the additional expense of a full barrier warranted in terms of 09:20:07 19 reduction of crashes and cost benefit. 20 09:20:08 21 The real benefit here is potential cost savings. As 09:20:12 22 we see an increase in high speed trains, the increases in 09:20:16 warning systems -- and we know because we step into a minimum 09:20:20 23 24 four-quadrant-gate scenario -- do we need to go with full 09:20:24 25 barrier protection and at what speed and what are the true 09:20:29

29

1 benefits of those types of devices.

09:20:33

2	The real implementation issue here is data collection	09:20:34
3	and analysis because, again, we're not trying to develop a	09:20:37
4	technology, as such, but to develop a model to guide us in the	09:20:40
5	proper application of technology.	09:20:45
6	And finally, No. 6, our joint project and I'm not	09:20:47
7	going to steal all of Helen's thunder. I wouldn't do that to	09:20:53
8	her. So she can talk about this, too but we both felt as we	09:20:58
9	talked about we talked together yesterday after our	09:21:03
10	sessions that there's some real applications for use of	09:21:04
11	commercial GPS systems to improve highway-rail grade crossing	09:21:07
12	safety.	09:21:12
13	And what the intent our intent was, was to	09:21:12
14	incorporate highway-rail grade crossing data into commercial	09:21:16
15	GPS systems. And especially with the fact that the Rail Safety	09:21:20
16	Improvement Act has mandated the updating of the grade-crossing	09:21:23
17	inventory, in a year we're going to have a lot of fresh data	09:21:29
18	that could be supplied to be included in these types of	09:21:32
19	devices. And we think that there are a number of different	09:21:35
20	things that could be included like presence of crossings,	09:21:38
21	whether they're grade-separated or not, active or passive	09:21:42
22	devices. And in some cases for commercial vehicles we could	09:21:48
23	even include data such as hump-crossing information,	09:21:52
24	potentially frequency of trains to be expected so that a	09:21:58
25	commercial vehicle may seek an alternate route due to one or	09:22:01

30

1	more limitations or uses of the crossing.	09:22:06
2	Of course, obviously the benefit is increased safety,	09:22:09
3	especially for commercial motor vehicles.	09:22:10
4	And we saw this as a difficult-to-implement issue	09:22:12
5	because it's going to require buy-in on the GPS system	09:22:17
6	manufacturers. And those things have actually dropped	09:22:23
7	significantly in price since their release. So they're real	09:22:25
8	price-point systems. So we feel that we're going to have to	09:22:28
9	work to get the manufacturers to buy in and really recognize	09:22:32
10	what we perceive as a benefit but may not be perceived as a	09:22:36
11	significant benefit by the manufacturers. So that covers our	09:22:42
12	six statements.	09:22:45
13	Number of folks that we had, we had an interesting	09:22:46
14	group that sat on New Technology. And we talked about in	09:22:49
15	excess of 50 different items. We actually had four pages of	09:22:56
16	items we discussed in our morning session. Actually, we	09:23:00
17	whittled it down to 15 different research-needs statements.	09:23:07
18	And as you can see just from some of the characters	09:23:11
19	involved that it was a lively discussion. Our facilitator was	09:23:14
20	Aaron Jette with the Volpe Center, and Dan Kubaczyk from the	09:23:19
21	Volpe Center who assisted Aaron.	09:23:28
22	We had the blessing of having our staff attendant as	09:23:28
23	Dee Chappell. And between all of her running to support the	09:23:31
24	entire conference and trying to type and last night as we	09:23:33
25	worked on this her fingers had just about quit. So she typed	09:23:37

1	three letters; and we'd edit, too. But she kept going, and	09:23:42
2	I don't know how she did it. And I really want to commend her	09:23:43
3	for the work that she has done on this particular program. So	09:23:44
4	a big hand for Dee, if you would.	09:23:48
5	ATTENDEES: (Applause.)	09:23:48
б	MR. BROWDER: There's another page there, I think.	09:23:51
7	There's another page there of suspects. You don't have it	09:23:54
8	marked?	09:23:54
9	MR. CAMPBELL: I know. I'm going to read through them.	09:23:57
10	MR. BROWDER: All right. You're going to read through	09:23:57
11	them?	09:23:57
12	MR. CAMPBELL: Yes, I am.	09:24:02
13	MR. BROWDER: Oh, okay.	09:24:02
14	MR. CAMPBELL: I think they deserve recognition for their	09:24:02
15	work.	09:24:02
16	So, people that sat on our committee: Andy Davis	09:24:04
17	with Quixote Transportation and we have one, actually,	09:24:07
18	that's missing from this particular list that again, one of	09:24:10
19	those oversights, but who provided a lot of insight and	09:24:14
20	commentary about what goes on around the world; and that's	09:24:19
21	Aidan Nelson with Community Safety Partnerships. And he	09:24:20
22	certainly gave us guidance on a lot of topics that he sees with	09:24:23
23	highway-rail grade crossing safety issues around the world;	09:24:29
24	Bill Grizard with APTA; Dan Guerrero with Metrolink was a big	09:24:32
25	help with pedestrian treatments and warning devices; Bob	09:24:37

1 Hoffman with CSX, we did some work on remote monitoring and 09:24:40 2 abilities to use reliable remote monitoring to seek relief from 09:24:46 3 some of the signal monitoring requirements in Part 234; Vijay 09:24:51 4 Kohli, an input on databases and how we better use data. 09:24:57 5 We also had John McGuiggin who sat in with us; and he 09:25:03 б didn't pulled his hair out and run out screaming from the room, 09:25:09 7 so I guess he followed where we were headed with some of our 09:25:12 8 conversations. Brent Ogden helped us with traffic-signal 09:25:15 9 applications, presignal speed cutters. Dick Pew, of course, 09:25:18 10 was an asset to us in telling us that we need to get the human 09:25:21 11 factors right before we build a product. And that kept us on 09:25:25 12 track in a lot of areas to be able to get first things first. 09:25:29 13 Tom Potter with Reno A&E helped with alternative 09:25:34 14 detection. John Sharkey was there and kept us mindful of 09:25:40 railroad simple circuitry and the fail-safe issues we have to 09:25:46 15 16 deal with. Sesto was a tremendous help with Transport Canada. 09:25:48 17 Oh, I'm sorry. I turned my page, not that page. I'm 09:25:53 09:25:57 18 just up here going, "Give me that button." 19 So Sesto was a valuable assistant to us to keep us 09:26:00 20 informed of parallel research that Transport Canada is involved 09:26:05 21 with. And finally, Michelle Yeh with the Volpe Center was 09:26:09 22 there and provided insight to us from a different -- some 09:26:13 23 different perspectives of her view of where we approach the 09:26:17 24 research needs. 09:26:21 09:26:22 25 So that concludes my report. I'll thank you for

33

1 listening to me, thank our team and all the people that 09:26:25 2 traveled so far to not only spend the dollars associated with 09:26:28 3 the travel on being here but also their valuable time. Thanks 09:26:33 4 for supporting us. 09:26:38 5 ATTENDEES: (Applause.) 09:26:39 6 MR. daSILVA: There is a question, Rick, out in the front. 09:26:49 7 MR. SOTTILE: Rick, Jim Sottile, PVB Consulting. 09:26:53 09:26:55 8 MR. CAMPBELL: Yes, sir. MR. SOTTILE: One-track vehicles that don't shunt, 09:26:55 9 Northeast Corridor at the School Street, Connecticut, at one 10 09:26:58 time they had a -- you know, vehicle detector loops. And when 09:27:02 11 12 the nontending went -- theirs went over it, it put a train in 09:27:07 emergency on an adjacent track. How would you get around that 09:27:12 13 14 type of -- and the only fix they have is operating rule. So 09:27:14 how would you -- what type of device would you envision that 09:27:19 15 16 could be used for that purpose? 09:27:22 17 MR. CAMPBELL: Well, it seems to me that my recollection 09:27:24 18 of that event was that when that, when that high-rail vehicle 09:27:28 went over the vehicle detection system and the crossing was 09:27:34 19 20 already active, what they realized was that the system needed 09:27:37 to be designed in such a way that, once the crossing was closed 09:27:41 21 22 and the gates were down, standard practice now in four-quadrant 09:27:45 gate operation is that we ignore the vehicle detection system. 09:27:50 23 24 And that was the solution to their problem. 09:27:53 25 Obviously, there's a lot more to it -- and, Jim, 09:27:56

34

1	I don't want to get into a lot of that here but we could do	09:28:01
2	some gate-position monitoring. There are ways to look at	09:28:05
3	occupancy of the loops to validate what comes over the loop, if	09:28:08
4	it would be on-track equipment; but our research needs	09:28:13
5	statement for on-track equipment was detection of equipment in	09:28:16
б	advance of the highway-rail grade crossing. And that certainly	09:28:20
7	could be incorporated into the system like this such that it	09:28:26
8	would know that the idling circuit was going to indicate	09:28:30
9	occupied on the loops at the four-quadrant system. Does that	09:28:32
10	answer your question?	09:28:36
11	Thank you.	09:28:37
12	Bill.	09:28:38
13	MR. BROWDER: Bill Browder from the Association of	09:28:38
14	American Railroads.	09:28:41
15	I thought about this all through your presentation,	09:28:42
16	Rick. Good presentation. Then you brought it up right at the	09:28:44
17	end in connection with acknowledging the chart, these	09:28:44
18	participations. For these six projects is it a given that they	09:28:54
19	would incorporate fail-safe systems, or is that a variable	09:28:59
20	parameter that might be considered in the development of these	09:29:05
21	project proposals?	09:29:13
22	MR. CAMPBELL: Well, the ones that	09:29:16
23	MR. BROWDER: I mean where they apply.	09:29:18
24	MR. CAMPBELL: Right. And that's the issue, Bill. Like,	09:29:20
25	for the GPS, obviously that's a nonvital piece of hardware to	09:29:22

begin with. So there's no expectation of vitality with that 09:29:27
 device. But for the alternative train detection, we actually 09:29:31
 mention that, that it has to be vital. If we're going to use 09:29:35
 it as control for preemption or four-quadrant-gate additional 09:29:39
 warning time, it will have to be a vital system. 09:29:42

6 And we do have a vital system to do that. What we 09:29:45 7 don't have is the full roll-out and implementation and how we 09:29:49 8 use that to be able to get the data reliably to the crossing 09:29:53 9 and make it cost-effective. 09:29:58

10In terms of the on-track equipment detection, that's09:29:5811also a vital device because we want to make sure that we know09:30:0212that that system is functioning.09:30:06

13 MR. BROWDER: The reason that I ask you is, some of you 09:30:08 14 may remember back ten, 15 years ago AAR attempted in looking at 09:30:12 these particular project areas to suggest that, if we were ever 09:30:21 15 16 going to get all of the grade crossings in the United States 09:30:25 17 addressed with some kind of better warning device that maybe we 09:30:31 18 should look at going something -- at something less than fail-09:30:38 safe in consideration of what we would want to consider, 09:30:43 19 20 regardless of whether FRA or other government agencies would 09:30:52 21 ever allow us to do that. I'm convinced -- and I'm still 09:30:57 22 convinced -- if you could come up with a low-cost -- and 09:31:01 23 I would say low-cost now less than \$50,000 at a grade 09:31:05 24 crossing -- I could go over on the Hill and get them to approve 09:31:11 25 those type of devices for all of the public crossings that are 09:31:18

36

1 left in the United States.

2 We tried to do that at Texas Transportation 09:31:27 3 Institute, and we had a town meeting and suggested it. We 09:31:31 4 never got any kind of participation from prospective 09:31:34 5 contractors that would accommodate that kind of situation; but 09:31:47 б I would encourage in any of these examinations to do what 09:31:51 7 Sharkey's suggestion is, to keep that in mind in terms of 09:31:58 09:32:03 8 expenditures that might occur. Thank you. 9 MR. CAMPBELL: And Bill, let me just to add to that. 09:32:10 I think that's exactly right. When we box ourselves in with 10 09:32:13 vitality, then the cost goes up and, you know, not just from a 09:32:16 11 12 hardware standpoint but the entire installation standpoint. 09:32:23 13 And --09:32:27 14 MR. BROWDER: Maintenance. 09:32:27 MR. CAMPBELL: We believe that the off-track system may 09:32:30 15 16 offer some significant reduction. It may not get us to the 09:32:33 17 \$50,000 point but significant reduction in cost; but, again, 09:32:36 18 it's another reason that we strongly looked at these LED signs 09:32:41 for the passive crossings because it's a relatively -- or very 09:32:45 19 20 inexpensive way to provide enhanced warning, which is what 09:32:49 21 we're talking about. These are locations that are so far down 09:32:54 22 on the priority list we'll never live to see active warning 09:32:58 23 devices at those locations; but the LED-enhanced signs could be 09:33:04 24 done on a wide-scale basis and effectively treat all of these 09:33:09 25 passive crossings that exist out there because they're 09:33:13

37

09:31:24

1 typically a less-than-\$10,000 fix and probably closer to \$5,000 09:33:16 2 fix. 09:33:23 3 So it is something that's easy to deploy. We want to 09:33:23 4 make sure there's a valid increase in safety and driver 09:33:27 5 response. And that's where we think a lot of the research 09:33:32 б needs to be. Do we see a reduction in speed as the vehicle 09:33:37 7 approaches the crossing? Do we get the driver looking up and 09:33:42 8 down the tracks? 09:33:44 9 We believe from research that had been done on these 09:33:46 devices at highway intersections they've proven to be extremely 10 09:33:49 effective in reducing stop-sign running. And I think that we 09:33:54 11 12 expect similar types of improvements at highway-rail grade 09:33:58 13 09:34:04 crossings. 14 Let's see. Rich. 09:34:05 MR. BROWN: Yes, Rick. 09:34:05 15 MS. CARROLL: Could you wait for the mike, please. 16 09:34:11 17 MR. CAMPBELL: Oh. Well, he's got one. 09:34:14 09:34:15 18 MR. BROWN: Rich Brown with Transpo Industries. On the detection, I wasn't clear. The detection 09:34:16 19 20 devices or whatever the concept is, was the discussion that the 09:34:19 21 devices may be contained within crossings; or would they be off 09:34:24 22 of the crossing? 09:34:29 23 MR. CAMPBELL: Well, the devices would be up- and 09:34:32 24 downstream from the crossing because the intent is to detect 09:34:35 09:34:39 25 the train as it approaches the crossing.

38

1 MR. BROWN: I'm talking about the vehicle detection, 09:34:43 2 detecting the vehicle on the crossing. 09:34:47 3 MR. CAMPBELL: The on-track equipment detection system? 09:34:50 4 MR. BROWN: Yes. 09:34:52 5 MR. CAMPBELL: Well, it would be located immediately 09:34:53 б outside in a roadway area so that, as the on-track equipment 09:34:55 7 approached the crossing, there would be an area that they would 09:34:59 8 pull into; and then it would automatically activate the active 09:35:02 warning devices. But typically it would be close, within 09:35:09 9 10 50 feet or so of the edge of the traveled way. 09:35:11 11 MR. DORER: Bob Dorer, Volpe Center. 09:35:17 12 I thought a few years ago I saw someone making a 09:35:20 presentation. I think it was from Wisconsin DOT. They were 09:35:22 13 14 doing -- excuse me -- an experiment on -- it was a combination 09:35:29 of S-volt, low-cost LED light and directing to yield at a stop 09:35:30 15 16 sign and using peak -- a variant of a GPS locator on the short 09:35:34 17 line. 09:35:40 09:35:41 18 MR. CAMPBELL: It was in Minnesota. MR. DORER: And was that ever documented to the extent 09:35:42 19 20 that that information could help further the continuing effort 09:35:45 21 to come up with a more effective low-/no cost? And I don't 09:35:49 22 think that one was vital, even though it accepted -- it came 09:35:54 23 from this. 09:35:54 24 I never heard the results of that. I'm just 09:35:58 25 wondering if it was passed out to the industry, if somebody 09:36:00

39

2 experience. 09:36:08 3 MR. CAMPBELL: What actually happened with that system is 09:36:09 4 it initially started off -- for those of you that have been 09:36:12 5 involved in this project -- as a low-cost approach. It did 09:36:14 б make use of GPS equipment on board the trains; but along the 09:36:19 7 way there were a number of obstacles that were encountered such 09:36:23 8 as need for vitality, the fact that the train had to be 09:36:28 equipped with a special device to activate the system. 09:36:32 9 So, if a train -- for example, a piece of equipment 10 09:36:36 operated over the crossing that wasn't equipped, the warning 09:36:39 11 12 system would not operate. And as I understand it, the 09:36:43 13 system -- as the system grew in complexity to deal with the 09:36:47 14 unique characteristics that we find at crossings that the costs 09:36:51 15 continued to increase and got to the point that it got away 09:36:56 from the elusive low-cost device. 09:37:00 16 17 And that's a problem as we've done analysis on cost 09:37:04 18 of crossings. There is an excellent paper that was done by 09:37:08 Bill Peterson with the Burlington Northern Santa Fe Railway 09:37:13 19 20 that Bill really went in and dissected cost of crossing warning 09:37:16 21 devices and the different elements and broke it down. And what 09:37:21 22 you really realize, there was no real central point that you 09:37:24 23 could attack and say, if we come up with a lower cost one of 09:37:27 24 these, then the whole cost will go down significantly. 09:37:31

knows if it worked and this issue can benefit from that

1

25 But essentially, half of the costs when we put in 09:37:34

40

09:36:04

1 these devices essentially goes to installation cost. So that's 09:37:39 2 the single biggest area to reduce as a way to be able to 09:37:44 3 minimize installation costs. That's one of the things we're 09:37:51 4 looking for with this off-rail-based system, is that it would 09:37:55 5 be wireless system, that it could be easily installed, the 09:37:58 б sensors under the rails, a simple device that sits by the side 09:38:01 7 of the track with solar power, with communications that would 09:38:05 09:38:09 8 be vital to communicate back to the crossing.

9 So there are some potential benefits to be recognized 09:38:14 there. You know, we look at savings in terms of power because 09:38:17 10 there are certain expenses associated with delivery of power; 09:38:20 11 12 but the trade-off for solar is equally expensive due to cost of 09:38:24 13 solar panels and increased battery systems for energy storage. 09:38:30 14 It's just hard to come at this from -- with conventional 09:38:35 equipment to say we could make a significant impact on the 09:38:39 15 16 cost. 09:38:43

17 And again, that's why we come back to this approach 09:38:43 18 with the signs, that maybe we need to take a little different 09:38:46 view and not try and mimic flashing lights and gates; but let's 09:38:49 19 find a device that's effective. We're going to have locations 20 09:38:54 21 where we need lights and gates due to train volume and the 09:38:58 22 vehicular volumes, but at these passive crossings that are so 09:39:02 23 far down on our priority list -- and there are so many that 09:39:07 24 it's going to be hard to treat them unless we have some device 09:39:10 25 that really does provide a low-cost solution. 09:39:14

41

1	Other questions?	09:39:17
2	MR. SOTTILE: Yes. What about the Wi-Fi device impact box	09:39:19
3	from they have this	09:39:23
4	MR. CAMPBELL: Hold on. Let me get you a mike, Jim.	09:39:23
5	MS. CARROLL: Would you please introduce yourself for the	09:39:34
б	court reporter.	09:39:34
7	MR. SOTTILE: James Sottile, PVB Consulting.	09:39:36
8	What about the Wi-Fi on-site at YTT? On the local	09:39:39
9	locomotive and it's proximity sensitive you could it's	09:39:41
10	25 bucks. They use them all over the country.	09:39:45
11	MR. CAMPBELL: Well, you know, there's a lot of that	09:39:48
12	that's going to be rolled into PTC, is the train will actually	09:39:50
13	communicate with wayside devices as it progresses down the	09:39:58
14	down the track. You know, again, that's those are all	09:40:00
15	doable things. And PTC likely down the road will shape how we	09:40:03
16	think about crossings and do things; but, you know, we're under	09:40:09
17	some pretty strict mandates to implement PTC in terms of train	09:40:13
18	control right now, and crossing applications are going to fall	09:40:19
19	beyond that just because of the timing.	09:40:22
20	Obviously we're dealing with infrastructure needs	09:40:25
21	right now. We haven't ignored crossings; but in terms of just	09:40:28
22	the magnitude of the project, to get it developed and installed	09:40:34
23	it's the crossings are going to have to come as a separate	09:40:37
24	approach. But once that comes I think we will see a lot more	09:40:41
25	information.	09:40:46

1	And once we know exactly what the intentions of the	09:40:46
2	train are, it's going to make a significant improvement in	09:40:49
3	operation of crossing warning systems because we'll be able to	09:40:55
4	deal with things like station stops before the crossings or	09:40:59
5	civil speed restrictions that right now would result in	09:41:02
6	increased warning times. So we'll see significant	09:41:05
7	improvements; but we just we've got so many things to do and	09:41:09
8	a short period of time to do it in. It's going to be a little	09:41:12
9	further down the road.	09:41:17
10	Another question?	09:41:18
11	Okay. It looks like we're done. Thank you again for	09:41:21
12	your time.	09:41:24
13	ATTENDEES: (Applause.)	09:41:26
14	MR. BROWDER: A great job.	09:41:26
15	MR. daSILVA: Thanks again, Rich. A quick housekeeping	09:41:35
16	note. You were handed your copy of evaluation forms. If you	09:41:40
17	could take a minute to do those and get it back to one of us or	09:41:42
18	drop them off at the desk right outside the auditorium here	09:41:44
19	when we go out into the break have a break.	09:41:48
20	The next one is regulation and enforcement; and	09:41:48
21	it was really looking at a review and analysis of current	09:41:52
22	initiatives, policies and programs to enhance safety along the	09:41:55
23	right of way. And Debbie Freund was the team leader.	09:41:59
24	ATTENDEES: (Applause.)	09:42:03
25	MS. FREUND: Before I begin, I'd just like to thank the	09:42:09

1 people who put this workshop together and kept us going. Dee, 09:42:12 2 Marco, Anya and all of your colleagues, thank you very much for 09:42:16 3 giving us the venue where we could get together and exchange 09:42:20 4 ideas and hopefully moving forward and improve safety. 09:42:25 5 We have a very, very lively group in the regulations 09:42:30 б and enforcement area. Our expertise, our agencies varied from 09:42:34

7 law enforcement to highway engineering to regulatory policy 09:42:40
8 matters to human factors research. 09:42:45

9 Clearly we had very diverse points of view, and those 09:42:54 were reflected in the conversations that we had. We did come 09:42:58 10 up with 11 ideas for research, and we were able to reach 09:43:03 11 12 consensus on our top five. And those top five were: data 09:43:08 13 needs for proactive enforcement, collection and wah --09:43:13 14 analysis -- I haven't had my coffee this morning yet --09:43:22 trespass data, photo enforcement at highway-rail grade 09:43:25 15 16 crossings, regulation and signage for no-train-horn crossings, 09:43:33 17 and a national campaign for seasonal enforcement programs. 09:43:37 18 In order to do enforcement, in order to develop 09:43:40 regulations it's critical that we have a problem size 09:43:45 19 assessment and know what the needs are. And many people who 20 09:43:49 21 work in state and local law enforcement environments have a 09:43:53

very difficult time getting hold of the data that they need to 09:43:59
enable them to plan effective, proactive education and 09:44:04
enforcement.
As we were having our conversations, we were reminded 09:44:12

44

09:44:16 1 that the highway-rail grade crossing inventory is being 2 updated. So there's a fine opportunity there. We also 09:44:20 3 thought, well, why can't we move things forward a little bit to 09:44:24 4 automate and simplify many of the common data searches that our 09:44:27 5 law enforcement and educational partners need. 09:44:36 б Our benefits, increase the efficiency of their data 09:44:39 7 analysis, saving them sometimes literally weeks or months of 09:44:43 8 work. Improve the knowability of additional hot spots and to 09:44:48 target their outreach and enforcement activities much more 09:44:52 9 10 effectively. 09:44:58 11 There are some implementation issues involving timing 09:44:58 09:45:01 12 of the inventory's update, difficult challenges in gathering 13 the information and the information technology coordination of 09:45:06 14 these various databases. None of these insurmountable but 09:45:10 15 challenges nonetheless. 09:45:16 16 The second project deals with the collection and 09:45:17 17 analysis of trespassing data. Trespassing deaths are exceeding 09:45:20 18 those of highway-rail grade crossing deaths. It's a concern 09:45:29 that many of us are very worried about, a trend we don't want 09:45:33 19 09:45:36 20 to see continuing. 21 So there is a need to update our existing data 09:45:39 22 collections; but before we start collecting data, we need to 09:45:42 23 define what kind of data that we are collecting. One of the 09:45:45 24 gaps that we have is that there are no consistent national 09:45:49 25 definitions for "trespasser" in terms of improper, unauthorized 09:45:55

45

1 access to rail right of way.

09:45:59

2	We would derive benefits from improved knowledge of	09:46:04
3	the state and local situations. We would be able to get	09:46:07
4	additional information to look at national-level concerns. And	09:46:11
5	our bottom line: improving prevention, mitigation, saving	09:46:16
6	lives, reducing property damage.	09:46:21
7	We do have some implementation issues here as well.	09:46:24
8	There are some incentives and disincentives for states. How	09:46:29
9	are they going to fit this in among all of their other	09:46:34
10	information collection needs?	09:46:38
11	There's also a certain amount of concern in terms of	09:46:39
12	the ownership, risk and the liability concerning the right-of-	09:46:42
13	way ownership itself as well as data availability and data	09:46:47
14	sharing. Again, not insurmountable; but it will take some very	09:46:52
15	serious and well-thought-out conversation.	09:46:58
16	Well, we do enforcement. And so our third item is	09:47:02
17	directly premised on that, and that's evaluation of photo	09:47:08
18	enforcement at highway-rail grade crossings. Can't put a	09:47:13
19	trooper or a law enforcement officer of any sort at every	09:47:18
20	crossing. We just don't have the personnel resources. Photo	09:47:23
21	enforcement has proved its worth in many traffic enforcement	09:47:27
22	situations.	09:47:32
23	But we don't have model laws. We don't have	09:47:33
24	consistent guidelines. We don't have consistent recommended	09:47:37
25	practices and procedures. That's what we would like to see	09:47:40

46

1 developed in Project 3.

09:47:43

2	Photo enforcement has two benefits. First, it can	09:47:48
3	provide solid data, a real record of the violations that occur.	09:47:52
4	Secondly, it has a deterrent effect. If people know that they	09:47:57
5	can be watched and their actions can be recorded, they might be	09:48:03
6	a bit less likely to try to take a shortcut, so to speak.	09:48:06
7	There are implementation issues, of course. There	09:48:14
8	have been some negative public attitudes that have arisen from	09:48:18
9	some implementations of red-light-running cameras and photo	09:48:25
10	enforcement. There are concerns about privacy. And, of	09:48:30
11	course, this is equipment; so there are potential concerns	09:48:31
12	about initial and ongoing national and installation operational	09:48:34
13	costs.	09:48:39
14	The fourth item, regulations and signage for no-	09:48:40
15	train-horn crossings, probably generated the most discussion in	09:48:49
16	our group. Fundamentally, we spent a lot of time on what are	09:48:53
17	these crossings about, what is the expectation of the motorist.	09:48:59
18	And after going around for probably about half an hour, one of	09:49:07
19	our team members said, "You know, look, we're not talking about	09:49:11
20	quiet zones. We're talking about crossings where train horns	09:49:17
21	are not sounded. This is something that is not matching most	09:49:22
22	motorists' expectations. We need to let them know. And again,	09:49:30
23	not all motorists go through the same crossings every day.	09:49:39
24	Most motorists expect a train horn to be sounded when they're	09:49:44

47

1 motorist know."

09:49:53

T	MOLOFISC KHOW.	09.49.55
2	We do have a few implementation issues here.	09:49:54
3	Development of the sign would require review by the National	09:49:58
4	Commission on Uniform Traffic Control Devices as well as	09:50:03
5	rulemaking by Federal Highway Administration to modify W10-1 or	09:50:07
6	develop a new sign for the Manual on Uniform Traffic Control	09:50:14
7	Devices; and, of course, after rulemaking is completed the	09:50:17
8	implementation costs of resources of installing the signs.	09:50:21
9	Our final recommendation builds upon national	09:50:31
10	campaigns that have been very successful in other highway	09:50:36
11	safety settings. For example, Mothers Against Drunk Drivers,	09:50:40
12	NCSA, many other organizations, have personal-target outreach	09:50:48
13	and educational programs. They target such issues as	09:50:57
14	construction work sites on highways, seat belts, drunk driving	09:51:02
15	around highways, proper installation of child safety seats; but	09:51:06
16	we don't have anything similar to that in the highway-rail	09:51:11
17	grade crossing and trespass-prevention community.	09:51:14
18	We do have the very, very strong benefit of working	09:51:17
19	with organizations primarily Operation Lifesaver that	09:51:21
20	focus on outreach, but maybe some seasonal campaigns to help us	09:51:25
21	to make a special focus on some of these efforts might give us	09:51:32
22	that additional little spark that we need to get the public's	09:51:38
23	attention and to get people thinking and knowing you can't ever	09:51:42
24	beat the train.	09:51:49
25	Clearly we could not have done this work without the	09:51:52

48

1	great participation of the folks on our team. And they are, in	09:51:57
2	alphabetical order: Lou Frangella from FRA Region 1; yours	09:52:03
3	truly; Officer Jack Hanagriff of Houston Police Department;	09:52:09
4	Dan Lauzon of the Brotherhood of Locomotive Engineers and	09:52:15
5	Trainmen; Gina Melnik, Volpe; Lieutenant Colonel Ralph	09:52:20
б	Mitchell, Louisiana State Police; Dr. Thomas Raslear, FRA	09:52:26
7	Research and Development; Bob Redmond, FMCSA Enforcement	09:52:35
8	office, Gerald Ruggiero from MBTA; James Sottile from PVB	09:52:38
9	Consulting Group; and Guan Xu from Federal Highway	09:52:43
10	Administration Office of Safety.	09:52:48
11	Also many, many thanks to our facilitator Suzanne.	09:52:50
12	She did an outstanding job of keeping us on track and herding	09:52:54
13	the rather challenging herd of cats. And thanks in advance to	09:52:58
14	Adrienne. We've got a lot of notes and will be looking forward	09:53:04
15	to seeing the write-up.	09:53:07
16	Thank you all very much for your kind attention. Be	09:53:09
17	happy to take any questions.	09:53:12
18	MR. MORRISON: Mark Morrison, Wisconsin DOT.	09:53:22
19	On your regulation pertaining to no-train-horn	09:53:27
20	centers focus on the W10-1 sign, hopefully, you would change	09:53:27
21	that read any advance warning sign for railroad crossings	09:53:33
22	because there are W10-2, -3 and $-4s$, these other ones.	09:53:36
23	MS. FREUND: Absolutely. We put it on the W10-1 as one	09:53:41
24	example, and clearly there could be other signs that could be	09:53:45
25	influenced by this. Absolutely correct.	09:53:47

1	MR. VESPA: My name is Sesto Vespa with Transport Canada.	09:53:52
2	I just have a little comment about the law	09:53:54
3	enforcement project. We did do a pretty extensive law	09:53:57
4	enforcement evaluation in Canada, and it did lead to reduction	09:54:00
5	in violation. However, this is where the issue of human factor	09:54:04
6	studies are very important. We did a very careful video	09:54:08
7	collection, a data collection program; and some of the behavior	09:54:12
8	that you end up creating as a result of law enforcement cameras	09:54:15
9	at grade crossings can be quite interesting, something that you	09:54:23
10	might never even imagine.	09:54:25
11	So when we looked over the videos, for example, we	09:54:26
12	had people giving us the finger. And we had people	09:54:28
13	ATTENDEES: (Laughter and applause.)	09:54:32
14	MR. VESPA: and one of the things that happened in	09:54:35
15	that, because of the way crossings work the crossings work	09:54:36
16	vis-a-vis highway intersections there are different problems	09:54:39
17	that arise. For example, we had false activations. A number	09:54:43
18	of times we had activation due to exchanges of cars, railway	09:54:44
19	cars at a close-by location.	09:54:53
20	To make a long story short, we had all sorts of	09:54:55
21	idiotic behavior that also occurred. For example, when drivers	09:54:58
22	had been at a crossing longer than they thought they should be	09:55:03
23	there without seeing a train at the crossing, they would stand	09:55:07
24	back, put tape on the license plates and then run across the	09:55:07
25	crossings.	09:55:13

ATTENDEES:	(Laughter.)

09:55:13

2	MR. VESPA: Believe it or not, we saw a number of	09:55:13
3	incidences where drivers would actually turn around and drive	09:55:17
4	backwards over the crossings.	09:55:20
5	So, just to make a long story short, we have to be	09:55:22
6	very, very careful in the way we use that technology; and we	09:55:26
7	came up with a list of recommendations on how to use it, but	09:55:30
8	it's what really that project showed is how important it is	09:55:33
9	when you install technology to make sure that you look after it	09:55:38
10	carefully because you can get a lot of all sorts of strange	09:55:39
11	things you had never actually expected.	09:55:41
12	MS. FREUND: Appreciate those comments. And if we could	09:55:43
13	get the report number at some point to add it to this research	09:55:46
14	area, if it is selected; but we certainly want to include it in	09:55:49
15	a literature review.	09:55:54
16	MR. OGDEN: Brent Ogden, AECOM.	09:55:59
17	The Los Angeles County Metropolitan Transportation	09:56:01
18	Authority did a law enforcement study at a Blue Line crossing.	09:56:04
19	The study was done I think about six or seven years ago, and so	09:56:07
20	that's also available. My understanding from their	09:56:12
20	experience and I didn't, I didn't read the details of the	09:56:17
22	report to see if there was some erratic behavior; but I know	09:56:21
22	that the numbers in terms of the effectiveness at the crossing	09:56:21
24	was very substantial as far as their report found.	09:56:28
25	They did there were a lot of legal issues with	09:56:31

51

1 Met- -- well, with that photo enforcement. And, actually, one 09:56:35 2 of their experiences with the -- one of the first people that 09:56:38 3 they caught was an assistant D.A. who ran through the crossing; 09:56:40 4 and he challenged it in court and lost. 09:56:44 5 ATTENDEES: (Laughter.) 09:56:47 6 MR. OGDEN: He wasn't feeling good about that. 09:56:50 7 But the other -- I think the other thing that -- you 09:56:54 8 know, in terms of the way it's implemented on the traffic 09:56:55 9 side -- and this has created a big ruckus, as we know. Traffic 09:56:58 is like the neighbor. Basically, it's a vendor-driven program 10 09:57:02 that is based -- where they basically, you know, go out and 09:57:05 11 12 they self- -- basically, it's a self-financed operation. 09:57:10 13 There's proceeds from tickets used to, first of all, pay the 09:57:12 14 manufacturer; and also we don't pay someone on the support 09:57:17 15 costs. These things are money makers. 09:57:19 16 One of the issues that came up at the San Diego 09:57:22 17 conference where there was a lively debate about this was that 09:57:26 18 the manufacturers -- one of the criteria for selecting 09:57:28 locations for different models not out yet was the fact that 09:57:31 19 the signals weren't timed right. They knew they were going to 20 09:57:34 21 be able to nail a lot of people. 09:57:39 It's absurd, but almost half of them complained about 22 09:57:39 their own systems weren't timed right. Maybe you should fix 09:57:41 23 24 the signal first before you start issuing tickets. Well, 09:57:46 25 anyway, there's just -- you know, there are probably issues 09:57:49

52

with implementing them; but they were all effective. 1 09:57:52 2 MS. FREUND: Points very well taken. And I would add that 09:57:54 3 it's probably important to look at differences in -- on 09:57:57 4 crossings in different -- different types of facilities, urban 09:58:00 5 surface rail as opposed to heavy rail and other different 09:58:06 б installation types and operational traffic concerns. 09:58:11 7 Absolutely. 09:58:17 8 Going once. Going twice. Thank you all very much. 09:58:23 ATTENDEES: (Applause.) 09:58:26 9 10 MR. daSILVA: Okay. Next up we have the Education 09:58:35 and Public Awareness group led by Helen Sramek and Dan 09:58:40 11 12 Di Tota, but I think Helen's going to take it; and it focused 09:58:42 09:58:44 13 on the outreach aspect. 14 ATTENDEES: (Applause.) 09:58:48 15 MS. SRAMEK: Last night at dinner I drew the short straw. 09:58:55 My colleague from Canada has decided that he will back me 16 09:59:03 17 100 percent in etiquette --09:59:06 09:59:06 18 ATTENDEES: (Laughter.) MS. SRAMEK: -- but I do want to single him out here. He 09:59:06 19 20 was a very active participant in our sessions yesterday. And 09:59:09 21 it's not only that he is my counterpart for Operation Lifesaver 09:59:11 in Canada. Canada is known for some -- Canada and the wealth 22 09:59:15 23 of records in particular is doing some very innovative work 09:59:21 24 that a lot of us in the United States are also looking at. So 09:59:25 25 my thanks to Dan for his involvement in this program. 09:59:29

53

1 We had a very spirited discussion yesterday. And we 09:59:32 2 probably began with 12 to 15 research ideas; but we quickly 09:59:35 came -- on the first vote -- to about four to five priorities 3 09:59:39 4 that we want to share with you today. 09:59:44 5 Our top five research needs are: first of all, 09:59:49 б evaluation of social media outreach. Second is evaluation of 09:59:53 7 existing education and outreach strategy. Crossing 09:59:58 8 consolidation education. We want to evaluate the effectiveness 10:00:02 10:00:06 9 of potential motorists and pedestrian signage and treatments. 10 10:00:12 And this is the last one that we got engaged in at about 4:30 yesterday, and we were really going at it. And this 10:00:16 11 12 is the topic of evaluating the effective of mobile warning 10:00:20 devices when approaching grade crossings. I'm going to mention 10:00:24 13 it, but at about the 5:30 we decided this isn't really 10:00:26 14 education. This is technology, and we are going to pump this 10:00:30 15 to Rick Campbell and his team. 16 10:00:33 17 Okay. Our first one is evaluation of social media 10:00:37 18 outreach. You know, when this was last held in 2003 a lot of 10:00:41 the tools that we're talking about today didn't even exist. 10:00:46 19 20 It's fairly remarkable when you think of it. 10:00:49 21 So what we would like to suggest as our description 10:00:52 22 is to identify, assess and test the effectiveness of social 10:00:54 media. The rationale is the use of new media applications 10:00:57 23 24 offers the opportunity with limited resources to reach a 10:01:02 25 broader audience. And that is something that we in the public 10:01:06

54

1 awareness and education field are always looking for. 10:01:09

2	The benefits, the collection of data that has never	10:01:12
3	before been utilized for captures. It will help improve the	10:01:17
4	targeting of future educational efforts and better utilization	10:01:21
5	of limited resources. When you deal in the area of education	10:01:25
6	and awareness, you're always very aware that resources remain a	10:01:28
7	constant challenge.	10:01:33
8	Here's one that I spoke about at the beginning of	10:01:34
9	when I talked on whatever day it was, Tuesday. It's evaluation	10:01:38
10	of existing education and outreach strategy. My friends, this	10:01:43
11	was mentioned in 1995 as a priority area. It was mentioned	10:01:48
12	again in 2003. We would like to suggest that it is time to	10:01:53
13	find some sort of study to help us evaluate the effectiveness	10:01:58
14	of what it is we do.	10:02:02
15	Description, to quantify the role education plays in	10:02:05
16	preventing incidents on active rail lines.	10:02:08
17	The rationale, it is crucial to assess the impact and	10:02:11
18	effect effectiveness of existing education and outreach	10:02:14
19	strategies in changing public behavior. We need to start	10:02:17
20	finding a new way and there are lots of experts in here. We	10:02:21
21	need to start finding a way to quantify what is the benefit.	10:02:24
22	How do we measure the effective not just the effectiveness	10:02:28
23	but can we somehow isolate what the education component brings	10:02:32
24	to highway rail safety?	10:02:38
25	Benefits, identify effective current education	10:02:39

10:02:44 1 methods to better target and send to audiences to reduce 2 incidents on railroad right-of-way. 10:02:49 3 Implementation issues obviously is the collection of 10:02:51 4 data and how you design a research study. Operation Lifesaver 10:02:53 5 exists in 50 states. This is not necessarily going to be an 10:02:57 б easy project to design. 10:03:02 7 Crossing consolidation education, to determine the 10:03:03 8 effective methods to educate community leaders in this area. A 10:03:07 lot of discussion on this particular topic. Many communities 10:03:11 9 10 are unaware of the benefits of public/private partnerships 10:03:15 regarding grade-crossing consolidation and grade-separation 10:03:19 11 12 funding. 10:03:23 13 The benefits, increased community safety forges 10:03:24 14 better partnerships, long-term safety benefits and mutual 10:03:28 benefit among cross-sectional groups. So my evaluator/ 10:03:30 15 16 researcher has got in there cross-sectional groups. I think 10:03:34 17 that's pretty impressive. And so that's one of our key topics. 10:03:37 18 Evaluate the effectiveness and potential of motorist 10:03:43 and pedestrian signage and treatments. Description, assess the 10:03:46 19 20 effectiveness of existing and potential new driver and 10:03:51 21 pedestrian signage treatments on or around railroad tracks and 10:03:53 22 station platforms. 10:03:56 23 The rationale for signage may be misunderstood or 10:03:58 24 overlooked by motorists and pedestrian traffic. 10:04:02 10:04:05 25 The benefits we would hope would lead to further

56

1 reductions in motorist and pedestrian grade crossing and 10:04:07 2 trespass incidents, increased motorist and pedestrian awareness 10:04:10 3 of public rail safety and improved compliance to signs. 10:04:13 4 Key implementation issues would be design of a new 10:04:17 5 signage, changes in the signage and the MUTCD compliance. 10:04:20 б Lastly, we suggest -- and since this made No. 6 in 10:04:28 7 Rick Campbell's presentation, we can say it made No. 5 if you 10:04:32 8 lop it into ours. It's evaluate the effectiveness of mobile 10:04:36 10:04:41 9 warning devices when approaching a grade crossing. Research the effectiveness of mobile warning devices as means to alert 10 10:04:44 drivers and pedestrians within close proximity of active rail 10:04:48 11 12 lines. 10:04:53 13 Rationale, utilization of current technology --10:04:54 14 cell phones, et cetera, as mobile warning devices can offer 10:04:58 additional alerts. 10:04:58 15 16 Benefits, active warning alert reduction in 10:05:00 17 collisions at crossings, long-term benefit to general public 10:05:04 10:05:08 18 and the lost-identity industry. Implementation issues, really this is technology. It 10:05:10 19 20 is -- we would be the group that tries to help educate the 10:05:13 public on this. And it's integration with existing equipment 10:05:16 21 22 and a challenge of using this technology which is driver 10:05:21 23 distraction. 10:05:24 24 And rather than go and read everybody's name, I'd 10:05:31 like the group to stand. And I want to make a special mention 25 10:05:34

57
1 that Paul Chaput with the Brotherhood of Locomotive Engineers 10:05:38 2 was left off inadvertently. It was one of those human-factor 10:05:41 3 slips. But I want to -- rather than give their names -- and 10:05:45 4 these are great people -- we had a very spirited discussion. 10:05:47 5 Take a look at these folks. 10:05:50 б One, they span all age groups. Two, we have 10:05:52 7 practitioners. We've got Paul. Dan Tota I want you to meet. 10:05:55 8 I didn't introduce him. He was a locomotive engineer in one of 10:05:58 his past lives. And Cliff Stayton was a locomotive engineer. 10:06:03 9 10 10:06:07 So we have the guys who know what this is all about. We have safety practitioners. We have evaluators. Suzanne 10:06:09 11 12 Horton actually did an evaluation of the PEERS program. And we 10:06:13 13 have law enforcement, and we have representatives from the 10:06:17 14 public agency. A very good group who knows about public 10:06:20 15 awareness and education, and we thank all of them. 10:06:23 And we particularly also want to thank our 16 10:06:26 17 facilitator, Rachael, who -- you know, we're communicators. So 10:06:29 18 we talk a whole lot, and we go all over the lot. And Rachael 10:06:32 made sure that we stayed on point. We had a number of red dots 10:06:36 19 that we had to allocate accordingly. And we want to thank 20 10:06:41 21 Tashi, who was our scribe during our sessions. 10:06:46 22 So thank you all very much. Are there any questions? 10:06:49 23 That was easy, Dan. I didn't have to point to you. 10:06:53 24 MR. DI TOTA: Thank you. 10:06:59 10:07:01 25 ATTENDEES: (Applause.)

58

1	MR. daSILVA: All right. And last but certainly not	10:07:03
2	least especially since I was in that group Institutional	10:07:08
3	Issues, a focus on successes and challenges related to planning	10:07:12
4	and implementing programs at all levels of industry: state,	10:07:17
5	local and Federal; and the team leader was Steve Laffey.	10:07:20
6	ATTENDEES: (Applause.)	10:07:20
7	MR. LAFFEY: It's good to see so many people have still	10:07:31
8	remained and are active with us. We covered a big, broad range	10:07:33
9	of issues, big institutional pretty much everything, big	10:07:44
10	stuff that fall into our jurisdiction.	10:07:44
11	We started off with kind of developing some nice big	10:07:46
12	pots to stick little ideas into, so we have seven big pots.	10:07:48
13	Then after our break we ended up with little 71 individual	10:07:52
14	ideas. So then after lunch we took our 71 individual ideas and	10:07:55
15	condensed them back down to six basic themes. So we're going	10:08:00
16	to end up talking about six individual projects that we did	10:08:03
17	here, and I'll go over our little statements.	10:08:08
18	So our top six statements here were establishment of	10:08:23
19	a railroad/transit data clearinghouse. So this data	10:08:26
20	clearinghouse would cover all types of data relating to	10:08:31
21	incidents and inventory.	10:08:33
22	No. 2 is do cost/benefit analysis of grade crossing	10:08:35
23	improvements.	10:08:40
24	Three is a synthesis to evaluate how, when and where	10:08:41
25	human perception negatively impacts railroad safety. So this	10:08:44

1 is how people view railroad safety as well as the messages that 10:08:49 2 are provided to help you interpret grade and separate issues. 10:08:51 3 Institutionalized evaluation as a key component of 10:08:55 4 project/program and countermeasure design and implementation. 10:08:59 5 Improved effectiveness of stakeholder interaction. 10:09:02 There are a lot of folks who are involved in this entire б 10:09:04 7 business, you know, well over 20, 30 various nations. The 10:09:07 10:09:10 8 industry itself is very diverse. 9 5B there is identified opportunities to make 10:09:14 legislation and regulations across jurisdictions compatible and 10:09:18 10 meaningful and up to date. Those of you work for railroads 10:09:22 11 12 obviously have to deal with a number of jurisdictions to get 10:09:26 13 anything done. We simply want to put up a fence on private 10:09:28 property. You've got to negotiate deals with folks. That gets 14 10:09:31 15 to be very complicated and actually way too complicated. 10:09:35 So Project No. 1, establishment of a data -- a 16 10:09:42 17 railroad transit data clearinghouse, a description of this is 10:09:46 18 simply to take a framework and an architecture for integrating 10:09:49 existing databases. We're not advocating the creation of a 10:09:52 19 bunch of new databases. What we want to do is link existing 20 10:09:56 21 databases together as is done in the aviation and highway 10:10:00 fields. 22 10:10:03 23 A lot of states have done this now with traffic crash 10:10:03 24 records. So many states -- like, Illinois has a traffic crash 10:10:06 25 records coordinating committee work there; but what they do is 10:10:10

60

1 develop deals with various state agencies and institutions to 10:10:13 2 link databases together from the private side, public side, so 10:10:17 3 that all of your event data is in one easy-to-find location. 10:10:20 4 And you can reference that data so you can query across 10:10:24 5 multiple databases so that when a police officer wants to know 10:10:27 б where he comes across a crossroad, he can do it and not have to 10:10:31 7 deal with mileposts. It can actually tell him the city and 10:10:33 10:10:36 8 cross streets. 9 So it will facilitate people doing more work, and 10:10:37 obviously the rationale is to maximize distribution of 10:10:40 10 information. We want to make it easy for people to get 10:10:44 11 12 information, use that information to do their jobs more 10:10:47 effectively. And then the benefits obviously are to make 10:10:50 13 14 better informed decisions. 10:10:52 When it came to key implementation issues, we kind of 10:10:54 15 16 took the perspective of are there any things out there which 10:10:56 17 will hinder possibly being able to do this; and for this 10:10:59 18 particular topic there wasn't anything that was going to hinder 10:11:03 us. It's relatively easy to do. It's a medium cost, and it 19 10:11:06 20 really a very high need for folks to go out there and find 10:11:10 21 information they need quickly and integrate it and get out in 10:11:14 22 the field and put it in solutions. 10:11:17 23 Cost/benefit analysis of grade crossing improvements. 10:11:19 24 Now, obviously, you know, this is something you really need to 10:11:23 25 do. Not a lot of it is done right now. 10:11:25

61

1	The rationale for doing this is to really continue	10:11:28
2	to deflec to really have a defensible argument that we need	10:11:30
3	the money we get. We want more money. We don't really	10:11:34
4	particularly want to see, for example, Section 130 money dumped	10:11:38
5	into a huge pool of safety money. We want 130 funds to be able	10:11:42
6	to stand on their own.	10:11:46
7	And until we can actually go out and defend that	10:11:47
8	Section 130 money or any grade crossing to do with money it	10:11:50
9	doesn't really make any difference we can't do that.	10:11:53
10	So the benefits of this would be to really enable the	10:11:57
11	addition of more some Federal funds and any funds that are	10:11:58
12	routed to railroad safety. And here again, the key	10:12:00
13	implementation issues, we didn't really find any negatives.	10:12:04
14	And this is something that we could do pretty easily. It had a	10:12:07
15	medium cost and a very, very high need, particularly once the	10:12:12
16	authorization somewhat under progress.	10:12:14
17	The synthesis, to evaluate human perception	10:12:17
18	implications on rail safety. The description of this is to	10:12:21
19	evaluate the human perception to modify human behavior. We	10:12:23
20	need to see how people actually interpret signs. Are signs	10:12:26
21	giving them the right message? Are they giving them the wrong	10:12:30
22	message? If they're giving them the wrong message, how could	10:12:33
23	we change that so they actually understand what we're intending	10:12:36
24	them to do.	10:12:38
25	Engineers often work at one level. The public is way	10:12:40

1down here at a different level. The messages don't often get10:12:422across.10:12:46

3 So the rationale here is for the local authorities, 10:12:46 4 the media and the public to correct some misperceptions of rail 10:12:48 5 dangers. The media has one way of talking about incidents and 10:12:54 б accidents. For example, the media often will say, "A 10:12:57 7 pedestrian was struck." However, there was truly a trespasser. 10:13:00 The person was there illegally. This doesn't get across in the 8 10:13:06 10:13:09 9 press or in the media so that the public has a perception that 10 this person was innocently in the wrong place at the wrong time 10:13:12 when in reality he was in the wrong place at the wrong time on 10:13:15 11 12 purpose. 10:13:18

13And the benefits of this will be to reduce collisions10:13:1814and to reduce fatalities. Here again, we didn't really see any10:13:2115key negative implementation issues. And this is something10:13:2716that's relatively easy to do. It's really just an education10:13:2917campaign, a very low cost; and it's a very high need.10:13:32

18 Our fourth project here was the institutionalization 10:13:39 of evaluation as a key component of projects. Now, we need to 19 10:13:41 20 build evaluation into the initial letting of a project. You 10:13:45 21 can't go back after a project is done and say, "Look, how do we 10:13:49 22 evaluate this?" Well, it's too late at that point. If you 10:13:53 haven't developed a performance menu when you build a project, 23 10:13:56 24 when you start an education campaign, it's too late to go back 10:13:59 25 afterwards and put a Band-Aid on it for yourself. So it's much 10:14:03

63

better to -- really to identify and maximize the potential 1 10:14:06 2 benefits of your project at the front end. 10:14:10 3 For example, if you were going to put in a new 10:14:12 4 pedestrian warning device, you should do your surveillance 10:14:15 5 ahead of time to at least get your baseline situation. And a 10:14:17 б lot of our projects that we do an hour, that would be great 10:14:20 7 because then every week you sit down and analyze those; but you 10:14:23 8 need to spend a lot of money up front. 10:14:25 9 And the PEERS project, to simply evaluate that -- it 10:14:28 was an ongoing project over about 18 months -- cost on the 10 10:14:31 order of a million dollars. So you're looking at probably ten 10:14:34 11 12 bucks. Every time a gate drops, it cuts into a college co-op. 10:14:37 Put into identities, was there a violation? What kind of 10:14:40 13 14 violation? So it's very expensive. 10:14:45 So it does add cost in the short term. There is some 10:14:48 15 16 resistance to doing this because it will take longer, 10:14:49 17 obviously; but the long-term benefits that you can really prove 10:14:53 18 prevent the cost of something you're trying to do. 10:14:56 Improved effectiveness of stakeholder interaction. 10:14:59 19 20 Like I mentioned previously, there are a lot of players in this 10:15:05 21 business. We all kind of communicate effectively? I really 10:15:08 don't think so. 22 10:15:10 23 At the Illinois Commerce Commission we have our 10:15:12 24 contact communications with local communities. We deal with 10:15:14 25 townships, cities, counties, railroads. We have 50 railroads 10:15:16

64

1 on line. Trying to get everybody at the same page is 10:15:21 2 impossible. 10:15:24 3 Now, if we can actually get some kind of pool 10:15:25 4 together, if you look at how people communicate, find out who 10:15:27 5 is doing it correctly and emphasize that in the future, that 10:15:30 б could really improve the communications; and improved 10:15:34 7 communication is always a good idea. 10:15:35 8 Sometimes it's kind of painful. Some people don't 10:15:36 want to talk to one another. It can be like dragging toenails 9 10:15:39 10 or fingernails out of people to do it, but it has to be done to 10:15:43 get the best out of our investments. 10:15:48 11 12 Implementations here, these are ideas. I mean, 10:15:50 13 there's a huge group of stakeholders. They're very entrenched. 10:15:53 14 The engineering industry is very conservative. Railroad safety 10:15:56 must be very conservative. Trying to get things to move at, 10:16:01 15 16 you know, other than a glacial pace is -- it's tough. 10:16:04 No. 5B -- or actually -- we are actually at No. 6 --17 10:16:08 18 identified opportunities to make legislation/regulations across 10:16:12 jurisdictions compatible, meaningful and up to date. Now, 10:16:17 19 20 basically, an outburst of regulations in Ann Arbor deal with 10:16:20 21 water -- with water and livestock and cars. Is there a lot of 10:16:23 22 livestock shipped by rail these days? I don't think so. 10:16:27 23 There are lots of opportunities here to really go and 10:16:30 24 streamline the touch of legislation and rules and regs that are 10:16:32 25 out there. There's a Public Utility Commission. They've got 10:16:34

65

lots of rules. Feds have lots of rules. Railroads have their 1 10:16:37 2 own rules. There is not exactly a lot of harmonization between 10:16:40 3 those sets. If you can streamline all those, that would really 10:16:46 4 benefit things and speed up the whole process. 10:16:48 5 Ah, but, of course, there's a lot of inertia there. 10:16:50 б Nobody wants listening to rules that have been there over 10:16:51 7 50 years. It's a lot of work. 10:16:53 8 We have an administrative rules committee in 10:16:55 9 Illinois, JCAR. To get anything changed in Illinois is a huge 10:16:57 pain in the butt. A short and sweet thing at the Federal level 10 10:17:02 from the railroads, everything is very institutionalized. 10:17:05 11 12 People don't want to change things if it's simple. And, 10:17:10 actually, there are some pretty powerful coalitions out there 10:17:11 13 14 who don't particularly want to see some things change after 10:17:15 15 10:17:15 all. As far as some folks we have on our committee, first 16 10:17:21 17 of all, facilitators in our stripe, Marco and David Damm-Luhr 10:17:24 18 were fabulous. Without those assistants we could certainly not 10:17:29 have accomplished what we did. 10:17:33 19 Bill Browder from AAR and Ian Lake from the Railway 20 10:17:36 Safety Commission of Ireland really added a nice different 10:17:40 21 22 flavor to our discussions. Karen Marshall from American 10:17:42 Association of Suicidology helped us focus on some of the human 10:17:45 23 24 issues: the pedestrians and the willful, intentional 10:17:50 25 trespassers. Jordan Multer had some very nice reflections on 10:17:53

66

1	different industries that he did with regard to discussions,	10:17:57
2	particularly from the aviation industry.	10:17:59
3	Ron Ries, supports and referee. Joy Schaad from	10:18:02
4	Chicago Metropolitan Agency for Planning. And John Shurson	10:18:10
5	from BNSF really gave us a good railroad perspective. And	10:18:10
б	also sorry there Jay Holman from Union Pacific, a public	10:18:13
7	safety officer and police officer, also gave us the	10:18:18
8	interpretations on how things are done.	10:18:21
9	And those are our top six institutional issues. So,	10:18:23
10	if anybody had any questions, it was welcome to taking a shot	10:18:26
11	at them.	10:18:30
12	Okay. Thank you very much.	10:18:32
13	ATTENDEES: (Applause.)	10:18:34
14	MR. daSILVA: I know we're a little bit over, but we're	10:18:42
15	going to make up for it. We have a couple of things to deal	10:18:45
16	with before the break, really quickly. We do want to present	10:18:48
17	our team leaders with a memento of their active participation	10:18:51
18	at this conference at this workshop.	10:18:55
19	So if we could please have Brian come up. We'll do	10:18:57
20	this in order. Brian Gilleran led the Grade Crossing	10:19:01
21	Modernization team.	10:19:04
22	ATTENDEES: (Applause.)	10:19:07
23	MS. CARROLL: Going to take a photo?	10:19:13
24	MR. daSILVA: Oh, you told me that.	10:19:17
25	ATTENDEES: (Applause.)	10:19:17

MR. daSILVA: And then Anya with Traffic Patterns. 1 10:19:18 2 ATTENDEES: (Applause.) 10:19:18 MR. daSILVA: Rick Campbell from New Tech Opportunities. 3 10:19:32 4 ATTENDEES: (Applause.) 10:19:32 5 MR. daSILVA: Debbie Freund with Regulation and 10:19:39 6 Enforcement. 10:19:41 7 ATTENDEES: (Applause.) 10:19:41 8 MR. daSILVA: And Helen Sramek and Dan Di Tota for the 10:19:50 9 Education and Public Awareness. 10:19:55 10 ATTENDEES: (Applause.) 10:19:55 MR. daSILVA: And, obviously, Steve Laffey, Institutional 11 10:20:14 Issues. 10:20:17 12 13 ATTENDEES: (Applause.) 10:20:17 14 MR. daSILVA: So this is your team. Thank you so, so 10:20:27 15 much, guys. 10:20:30 ATTENDEES: (Applause.) 10:20:30 16 MR. daSILVA: All right. I think their duties are 17 10:20:34 relieved, right? 10:20:36 18 19 All right. So we're going to break. And we do have 10:20:40 a handout for you that you'll pick up on your way out. It has 20 10:20:41 21 all of the top research needs. We ask you that when you come 10:20:44 back really start thinking about what your own priorities are. 22 10:20:47 23 And then Anya's going to lead a discussion to wrap things up, 10:20:50 and then we'll be done. 24 10:20:55 So thank you very much. Break is right outside, if 25 10:20:56

68

10:20:59 1 you want to come back in about ten minutes or so. Make it 2 10:30, 10:35. Thank you. 10:21:03 3 (Recess taken.) 10:21:03 4 MR. daSILVA: Okay. I have one announcement that Debbie 10:44:19 5 Freund pointed out to me that we apologized for an omission but 10:44:56 б we have an omission of Richard Brown, who was on the Yellow 10:44:58 7 team, on the Regulation and Enforcement team. So we apologize 10:45:01 8 10:45:07 for that omission from the presentation. 9 MS. CARROLL: We'll adjust it. 10:45:07 10 MR. daSILVA: And that will be adjusted. 10:45:12 11 I'm still waiting for a few people to come back in. 10:45:22 12 So the first thing I'd like to do is actually 10:46:02 acknowledge the in-house staff, the Volpe staff that is still 10:46:05 13 14 present this morning. If they want to stand up so that we know 10:46:09 15 who everybody should thank, Volpe people. I believe that I see 10:46:14 a bunch back there. 10:46:19 16 17 ATTENDEES: (Applause.) 10:46:22 MR. daSILVA: So thank you for all your help throughout 18 10:46:24 this week and leading up to this. 10:46:27 19 20 The other group of people that we really need to 10:46:29 21 thank is the steering committee. The team leaders are all part 10:46:32 22 of the steering committee, but there were also other people. 10:46:35 23 So if the steering committee -- want to stand up, please. You 10:46:37 24 know who you are. You've been involved with us for the past 10:46:40 10:46:47 25 six months or so.

69

10:46:47

-		10,10,11
2	MR. daSILVA: Thank you for all your effort and hard work	10:46:48
3	and all those conference calls which I think really paid off.	10:46:50
4	So we're going to go into the last session, and Anya	10:46:52
5	is going to lead the discussion and prioritization. And I hope	10:46:54
6	that you got a list of all of the top 30 34, right?	10:46:58
7	MS. CARROLL: 33.	10:46:58
8	MR. daSILVA: 33 33 research needs statements. So	10:47:02
9	if you don't have a copy, there are probably some extras	10:47:06
10	floating around, so just phasing it and a timeline.	10:47:09
11	MS. CARROLL: Thank you. We're going to take a little bit	10:47:20
12	of time. Since we had a lot of discussion with questions and	10:47:25
13	answers while the team leaders were up here, we'll have some	10:47:28
14	more discussion and, hopefully, a little bit of time to do some	10:47:33
15	prioritization with you.	10:47:38
16	So with that, the list that you should have in hand	10:47:40
17	discusses let me premise my comments by the fact that	10:47:50
18	operator error in the wee hours of the morning may cause human	10:47:53
19	error. So as exemplified by my earlier presentation where	10:48:01
20	I missed an entire project I hope I've got this right.	10:48:06
21	So I'll just to through very quickly the titles. For	10:48:10
22	the Grade Crossing Modernization we looked at warning device	10:48:16
23	minimum requirements for high-speed rail, flange-way gap, GPS	10:48:24
24	and PTC constant warning signs, second-train warning devices,	10:48:27
25	personal detection device I see that. I've got that twice.	10:48:30

70

1 I forget what the fifth one is now.

10:48:33

-		10 10 00
2	Trespass Traffic Patterns are application of	10:48:37
3	warning devices, highway traffic signals, the effectiveness of	10:48:40
4	pedestrian gates, signage at roundabouts, driver	10:48:44
5	decision-making, review and improvement of the hazard indices	10:48:49
6	and accident prediction formulae.	10:48:49
7	The New Technology group, alternative sensors,	10:48:54
8	pedestrian treatments, on-track vehicle detection, LEDs,	10:48:58
9	minimum traffic control devices for high-speed rail, enhanced	10:49:03
10	commercial GPS systems to improve highway-rail grade crossing	10:49:08
11	safety.	10:49:11
12	As you can see, unless excuse me on my slide	10:49:11
13	I have some key color keys; and that's a surprise on the	10:49:14
14	next slide, if you haven't guessed already. I bet some people	10:49:18
15	have identified what that means.	10:49:24
16	Our next slide talks to the Regulation and	10:49:25
17	Enforcement, the data needs, collecting and analyzing trespass	10:49:34
18	data, photo enforcement, regulation and signage, national	10:49:39
19	campaign for targeted seasonal enforcement.	10:49:42
20	We work into the Education and Public Awareness a lot	10:49:47
21	of evaluation: evaluation of social media, evaluation of	10:49:50
22	outreach strategies, crossing consolidation education,	10:49:55
23	evaluation of effectiveness of potential motorist and	10:49:58
24	pedestrian signage, evaluation of the effectiveness of mobile	10:50:01
25	warning devices.	10:50:07

71

1	The Institutional group brought to bear some of the	10:50:08
2	outer skin of the onion, as Jordan mentioned: you know,	10:50:11
3	establishment of a data clearinghouse across the organizations;	10:50:16
4	cost/benefit analysis which would provide us with some level of	10:50:21
5	effectiveness of the types of warning device improvement; the	10:50:24
б	synthesis to evaluate how how, when and where human	10:50:30
7	perception negatively impacts safety; institutionalize the	10:50:36
8	evaluation as a key component, improved effectiveness of	10:50:42
9	stakeholder interaction, and the identification of	10:50:45
10	opportunities to make legislation and regulations across	10:50:54
11	jurisdictions compatible.	10:50:54
12	I want to applaud everybody here and everybody who	10:50:54
13	was here for the tremendous job they did. My anecdotal	10:50:58
14	information was that we generated more than 150 ideas that	10:51:02
15	generated one-page sheets to the total of 70, 70 plus	10:51:10
16	I think there might be 72 we actually generated. And what we'd	10:51:14
17	like to discuss today is these top issues that the teams came	10:51:19
18	up with and have a discussion about that.	10:51:25
19	My color scheme sort of tries to link across the	10:51:29
20	teams some of the trends. So, as you can see, the Grade	10:51:35
21	Crossing Modernization team, the Traffic Patterns and the New	10:51:40
22	Technology all focused on what do we do with the incoming high-	10:51:44
23	speed-rail legislation and funding; and how can we proactively	10:51:50
24	get to a level of comfort to implement the high-speed-rail	10:51:56
25	issue.	10:52:01

1	The GPS came up a couple of times with constant	10:52:02
2	warning time and also the use of a possibility of ITS types of	10:52:08
3	systems as David Matsuda brought to bear in his opening	10:52:12
4	presentation on Tuesday.	10:52:17
5	The next grouping looked at grade crossing	10:52:20
6	modernization, traffic patterns, new technologies and education	10:52:27
7	and public awareness. We talked about pedestrians. It seems	10:52:31
8	like pedestrians is a cross-cutting issue.	10:52:37
9	Yes, Scott. Could we get you a microphone first,	10:52:40
10	please. And could you state your name and your organization.	10:52:43
11	MR. WINDLEY: Yeah, I'm Scott Windley with the U.S. Access	10:52:48
12	Board.	10:52:51
13	I hate to do this to you, but I have to point out a	10:52:51
14	human error.	10:52:55
15	MS. CARROLL: Okay.	10:52:55
16	MR. WINDLEY: You left out flange-way gaps in your next	10:52:57
17	group.	10:53:00
18	MS. CARROLL: Okay. It will be in the formalized edited	10:53:01
19	proper list. That's why we may do a precursory prioritization,	10:53:05
20	but we're going to save that for a more consistent	10:53:12
21	prioritization.	10:53:17
22	So we will add the flange-way gaps to the color blue.	10:53:19
23	In yellow we talk about driver decision making a	10:53:26
24	human factors area that has been with us for at least the last	10:53:30
25	six years in this venue of research needs; and, hopefully, we	10:53:36

1 need to get moving on this area.

2 And then the last area of purple evaluation was 10:53:44 3 evaluation, evaluation and more evaluation. And I was just 10:53:48 4 having a sidebar conversation with Jim Sottile; and similar to 10:53:53 5 what Steve Laffey and his team put together as far as having a 10:54:02 б database of where you could get information, wouldn't it be 10:54:05 7 great to have a database of all the evaluation results right 10:54:10 8 after they're done? It's just a thought. 10:54:15 9 So with that I would like to open the floor to 10:54:17 anybody to discuss any issue that you have, any of these needs 10 10:54:20 that you want to discuss further or anybody that would like to 10:54:27 11 12 support one of these research needs or another. So with that 10:54:34 13 10:54:39 I'll open it up to the floor. 14 Microphone, please. And please state your name and 10:54:49 your organization, Paul, because we're trying to --10:54:53 15 MR. WORLEY: Paul Worley, and North Carolina DOT. And 16 10:54:56 17 also I'm representing AASHTO at this meeting. 10:55:00 18 One thing that's been very important to us at AASHTO 10:55:04 is the Section 130 program, seeing that continue as some kind 10:55:06 19 of grade-crossing safety set-aside. And every time we get into 20 10:55:11 21 the situation of the reauthorization and transportation bills, 10:55:15 22 we get into this defense-of-gate, bar-the-door-type kind of 10:55:17 23 mode. 10:55:23 24 We have a lot of good reasons for the Section 130 10:55:24 25 program, not just the safety benefits that we've had over the 10:55:29

74

10:53:41

1 life of the program; but also involved in crashes are economic 10:55:32 2 factors, factors of mobility and communities as well as the 10:55:36 3 rail systems. And as we look in our country to develop high 10:55:40 4 speed rail corridors and more intercity passenger and freight 10:55:44 5 and as that becomes more important, the mobility of rail lines, 10:55:49 б the validity of those rail lines and the velocity of the trains 10:55:51 7 becomes more important and maintaining a good grade crossing 10:55:57 set for it as well. 8 10:55:59 9 So, with that in mind, we have been pursuing through 10:55:59 TRB and we would love to see some kind of cost-back analysis 10 10:56:04 11 and research done into what are the economic impacts, what are 10:56:10 12 positive economic impacts and mobility impacts of railroad 10:56:13 13 crossings safety and use that, that body of work that we can 10:56:16 14 get out of that kind of research as our further walking-around 10:56:19 backup to the Section 130 program. And we've also got some 10:56:25 15 16 other ideas of where that should go; but we really need some 10:56:29 17 good data on that, not just to safety but there are some other 10:56:33 18 benefits we need to look at, too, and modify. 10:56:36 MS. CARROLL: Thank you, Paul. 10:56:40 19 20 Anybody have any comments for Paul's suggestion? 10:56:41 21 That was one of the research needs that was established, the 10:56:44 22 cost/benefit of a grade crossing safety treatment. 10:56:48 23 Yes. Down here. 10:56:52 MS. FREUND: Debbie Freund, Federal Motor Carrier Safety 24 10:57:00 25 Administration. 10:57:03

75

1 I'd like to add to Paul's comments. One of the 10:57:03 2 reasons that FMCSA is looking at rail highway grade crossing 10:57:07 3 safety as carefully as it is is not necessarily the number of 10:57:13 4 events but the risk of the very, very serious catastrophe. 10:57:17 5 There is more hazardous material being moved by truck than by 10:57:24 б rail at any time. The trends continue to increase. 10:57:29 7 In that way, you know, it's a little bit like 10:57:32 8 aviation. It's extremely safe, and that's to protect the 10:57:35 traveling public from risk. So do keep that in mind as we go 9 10:57:40 10:57:46 10 on evaluations. It's not just what is happening. It's what potentially could happen. 10:57:50 11 MS. CARROLL: Thank you, Debbie. Right behind you? 12 10:57:55 13 MS. COOK: Hi, everybody. I'm Carolyn Cook, and I'm the 10:57:59 14 regional crossing manager out of Region 5 for Federal Railroad 10:58:02 15 Administration. And for the last five years I've been working 10:58:09 on state action plans for -- crossing safety action plans in 16 10:58:11 17 Louisiana and in Texas. And the big reason that I asked to 10:58:14 18 come to this was because I have a big concern about traffic 10:58:19 signal and crossing interconnections. 10:58:26 19 20 You know, I've also served on planning committees for 10:58:28 three different engineering conferences. And every time I've 10:58:32 21 22 had to convince the group that we still need to be talking 10:58:35 about this because in my region we're still having collisions. 10:58:39 23 24 I first got involved really with the topic when three 10:58:42 25 people were killed at a grade crossing in Louisiana when the 10:58:46

76

1truck driver was looking up and waiting for the light to change10:58:502and failed to look at the Norfolk Southern's train approaching.10:58:533It was ignored by the fact that cantilever flashers had just10:59:004gone off. There wasn't a gate there, and the traffic -- signal10:59:045wasn't interconnected with the traffic light.10:59:07

6 That was in 2004 three people died. So then we did 10:59:10 7 the state action plans in Louisiana and found out that close 10:59:14 8 proximity to intersections was the main reason why we were 10:59:19 9 having multiple collision -- multiple-incident collision. 10:59:22

10 We didn't go as far with the data analysis as we've 10:59:26 done in Texas, and now in Texas we've looked at 1328 collisions 10:59:30 11 12 with 466 multiple-incident collisions. In 46 percent on the 10:59:36 multiple-incident collisions -- no, 46 percent of the total 13 10:59:43 collisions were at multiple-incident locations where an active 10:59:49 14 crossing device was interconnected with a traffic signal. 15 10:59:55

16So that's the biggest difference among the single-10:59:5917incident collision and the multiple-incident collision. That's11:00:0518the only thing, really, that separates the multiple-incident11:00:0919collision with the single-incident collision.11:00:13

20 So it tells us, you know, that the big thing we've 11:00:16 got to look at in Texas is the fact that those crossings 11:00:19 21 22 interconnected with the signal. Something -- it's the only --11:00:28 you know, it's the only indicator we have that there's 11:00:31 23 24 something going on in those multiple-incident locations. 11:00:34 25 So my pitch to you is that some of you may think we 11:00:37

77

1 have the problem solved with preemption; but I don't think that 11:00:42 2 we do, at least not in my region. So just my pitch for that 11:00:48 3 research need area. 11:00:53 4 MS. CARROLL: Brent. Can we get a microphone to Brent? 11:00:59 5 MR. OGDEN: Brent Ogden with AECOM. 11:01:04 6 I wanted to speak to the high-speed rail grouping. 11:01:06 7 And I guess the first comment I would have would be that my 11:01:11 8 understanding is that 125 is the limit for grade separation. 11:01:16 So if you start with 110 there on some of the considerations in 11:01:20 9 the statements there, I think it should go to 125. 10 11:01:25 11 The way -- the way the New Technology group looked at 11:01:28 12 the grade crossing issue with high speed rail, I think -- well, 11:01:35 13 first of all, I think in California and being that we love 11:01:38 14 regulation and love -- we always go to trade on the best-11:01:42 available technology. So we're putting full enclosure on our 11:01:46 15 16 new light rails. I mean, we're closing off everything, four or 11:01:51 five gates, pedestrian gates, full standardization. It's 17 11:01:55 18 just -- it's almost impossible for me to believe that somebody 11:01:57 could put in a high-speed rail crossing that didn't have best-11:02:01 19 20 available technology. 11:02:03 21 So we're sort of starting off with the mindset that 11:02:04 22 there's going to be full closure. And then the question is: 11:02:07 What do you do next? Just put a barrier up to stop the cars 11:02:09 23 24 from running in? Do you secure the crossing and stop the train 11:02:13 25 before it gets there between -- the warning time is three 11:02:16

78

1	minutes, four minutes, whatever? So the way we look at the	11:02:19
2	high-speed rail issue is really, you know: What do you do over	11:02:23
3	and above just the best-available treatment? And so that was	11:02:27
4	sort of our focus, and I just wanted to sort of clarify, you	11:02:30
5	know, why we took that approach on it and why we put the	11:02:35
6	barrier gate down.	11:02:37
7	We also had another one that didn't, I guess, make	11:02:38
8	the short list was the video surveillance of the crossing and	11:02:43
9	verify that the crossing is secure; but I think that's another	11:02:48
10	thing in one of these New Technology areas, is, you know, it	11:02:49
11	could actually become a very, very important consideration, is	11:02:53
12	having video surveillance on these crossings, one of the	11:02:58
13	countermeasures.	11:03:03
14	MS. CARROLL: Thank you, Brent. Our team, as well, in the	11:03:03
15	Traffic Patterns looked at this issue as well. As you saw,	11:03:05
16	there were three teams that brought this issue up.	11:03:07
17	MR. CAMPBELL: Hi. Rick Campbell.	11:03:11
18	I'm going to echo a little bit about Carolyn's	11:03:14
19	statement on traffic signal interconnection and preemption for	11:03:16
20	crossings. Like Carolyn, I'm convinced that this is a	11:03:18
21	significant problem and that we've really failed to address it.	11:03:23
22	We got all worked up after Fox River Grove, and we had the big	11:03:27
23	flash in the pan; but we've really just set all this aside and	11:03:34
24	in many states have taken virtually no action to deal with	11:03:38
25	improvements regarding preemption.	11:03:41

1 A case in point, we were involved in a study with the 11:03:43 2 State of Ohio that ultimately will evaluate and assess every 11:03:45 3 interconnected location in Ohio. And we're in the very early 11:03:49 4 stages of that program, and we've only looked at roughly 20 to 11:03:53 5 25 crossings as kind of a dozen sample. And it's amazing of 11:03:58 б those 20 to 25 locations 100 percent of them have problems. 11:04:02 7 And the problems range from moderate to severe. 11:04:07 8 You can find locations where the presumption has been 11:04:09 disabled. And even after all that we've learned about, we saw 11:04:14 9 agencies had disabled the interconnection. And it's just 10 11:04:19 11 inconceivable that we could take such a casual approach to such 11:04:21 12 a serious problem. 11:04:27 13 And I just want to support Carolyn. There were a 11:04:28 14 number of different research need statements about preemption 11:04:33 with different elements. We had someone in our organization. 11:04:36 15 16 I know there are other groups that did as well. So just 11:04:38 17 encourage them to continue to look at that. Let's not set the 11:04:41 18 research aside in terms of preemption and interconnection. It 11:04:45 is a significant issue that's out there. 11:04:49 19 20 And when you look at the numbers, when the various 11:04:51 elements line up, it's not a question of if the crash occurs. 11:04:55 21 22 The crash will occur. It will happen. You can prove it 11:04:59 mathematically. So it's only a case when one of the 11:05:02 23 24 contributing elements either isn't present or at the last 11:05:05 25 minute moves out of the way and removes that element that the 11:05:09

80

crash doesn't occur. So that's it for my comments about that. 1 11:05:15 2 MS. CARROLL: Thank you, Rick. 11:05:18 3 Up in the back, Scott? 11:05:20 4 MR. WINDLEY: If somebody has to comment about what Rick 11:05:21 5 is talking about, my issue is different. So I don't want to 11:05:24 б interrupt the discussion of what we're talking about there. 11:05:29 7 So if somebody needs to comment further, I'll yield 11:05:31 8 for him. 11:05:31 9 MR. SOTTILE: Jim Sottile, PVB Consulting. 11:05:45 10 Rick, one of the things that's in my experience since 11:05:48 retirement has been with the preemption issue at certain grade 11:05:51 11 12 crossings. The salt conditions during winters start false 11:05:56 activations and then start the cycle. And I've done some 11:06:01 13 14 nominative research into police departments responding because, 11:06:08 as you know, in 49CFR234 it's a requirement before the next 11:06:11 15 16 train movement that the railroad respond to it; but it does it 11:06:14 17 all the time. 11:06:18 18 But police departments going out there and propping 11:06:18 up gates, that's more hazardous because of the intermittent 11:06:21 19 20 occurrences. So -- and I agree with the FRA speaker and you 11:06:24 21 that there has to be some research into that because, just 11:06:29 22 because you have preemption, it may cause accidents instead of 11:06:32 23 helping. 11:06:36 24 MS. CARROLL: Thank you. 11:06:38 25 Let's go back to Scott in the back corner, please. 11:06:39

81

MR. WINDLEY: Actually, I just wanted to give Erica a 11:06:47 1 2 workout, but --11:06:51 3 ATTENDEES: (Laughter.) 11:06:51 4 -- I'm Scott Windley, U.S. Access Board. 11:06:52 5 I'd like to -- I was in the 2003 research needs 11:06:53 б meeting, and in that meeting I felt like I was the only one 11:06:58 7 beating the pedestrian drum. So I'd like to commend all of us 11:07:03 8 for having as many projects as with do that list pedestrian 11:07:07 9 issues. 11:07:12 10 I would just like to give my support to the 11:07:12 11 flange-way gap research because that's been an issue forever. 11:07:19 12 And if you want -- I'll keep my horror story to a minute, a 11:07:24 13 minute long -- but if you want to picture yourself in a 11:07:29 14 wheelchair all by yourself and you get your wheels stuck in the 11:07:32 15 flange-way and there's no one around to help you, you're either 11:07:37 going to be a dead duck when the train comes or, if you're 16 11:07:41 lucky, somebody will come along and help you out before the 17 11:07:44 11:07:47 18 train comes. 19 So I know that this high speed rail is a real big 11:07:48 issue right now. I would just want us to not lose sight of the 20 11:07:52 21 fact that we need to address the flange-way gap issue because 11:07:56 it's not just for wheelchairs. Bicyclists have that trouble. 22 11:08:00 23 I think I remember somebody saying in our group that 11:08:05 24 there was a story about a woman who got her stroller caught in 11:08:08 25 the flange-way gap and got so -- in the panic moment got so 11:08:12

82

1 involved in trying to get the stroller loose that she didn't 11:08:16 2 think about scooping the baby out of the stroller. 11:08:18 3 So, you know, these are just things that it's more --11:08:22 4 there's more issues here than just wheelchairs. It's for all 11:08:26 5 small-wheel vehicles that are going across that pedestrian 11:08:31 б crossing. So I commend you all for all the pedestrian issues 11:08:38 7 that you've brought up, and I don't feel all alone anymore. 11:08:42 11:08:44 8 Thank you. 9 MS. CARROLL: Thank you, Scott. 11:08:47 10 And the way in the back, please give your name and 11:08:48 11:08:52 11 your organization. MS. XU: Hi. I'm Guan Xu with Federal Highway 12 11:08:54 13 Administration Office of Safety. 11:08:58 14 I want to remind you when you are considering 11:09:00 prioritize the project, keep in mind that we probably want to 11:09:05 15 consider "all" DOT and official strategies. Note the emphasis. 16 11:09:17 17 I think my life pact now is that future cost studies would 11:09:27 18 treat the priority of safety, name of the body and present of 11:09:33 the learning. 11:09:33 19 So that's -- of course, safety, we're talking about 20 11:09:38 safety now. That's what is on target but also the means to 11:09:47 21 22 survive which is -- which we need look into what Scott was 11:09:54 mentioning in the back on parking. 11:09:58 23 24 And also, with that in mind, I think the flange-way, 11:10:00 25 the topic is right on target. And there's probably something 11:10:09

83

1that will be supported by other communities like pedestrian and11:10:142the people with disabilities and may have high potential to be11:10:183funded in the use -- to that use.11:10:24

4 And we find that I think maybe we need to change the 11:10:26 5 name of the flange-ways to make it more clear to people outside 11:10:33 б railway society. I don't have any suggestion, but that's been 11:10:40 7 solved. And something about pathway. Like, I mentioned the 11:10:46 8 first day that -- who presented pathway design standards. 11:10:49 I think that's kind of, like, one solution to resolve the 11:10:55 9 10 flange-way problem and also have high potential to be accepted 11:11:01 by other communities such as the design community -- roadway 11:11:10 11 12 design -- and pedestrian safety groups and also the railway 11:11:16 13 community talking. So this -- so when you consider that, keep 11:11:20 14 this in mind. 11:11:28

And also, another point I want to make that the start 11:11:30 of next authorization deal I think one thing is added which is 11:11:41 performance of engines. So this was something they need to run 11:11:47 the data again. And we want to have good data to do evaluation 11:11:52 and also to do performance measurements. 11:12:02

20 And also the ultimate goal of the DOT is to review 11:12:05 21 fatalities and severe injuries -- severe enough injuries. So 11:12:21 22 when people look at what they have, they always see all these 11:12:25 23 causes and that made so low. If they'd spend money actually on 11:12:29 24 that it will not produce good results, to contribute so and 11:12:33 25 that fund is not inhabited. 11:12:33

84

1	The number is so low because we are only looking at	11:12:44
2	the train and vehicle we have; but there's a lot of fatalities	11:12:48
3	and injuries that are related to the vehicle on the pathways	11:12:50
4	and crashes that somehow cost by the percent of the crossing or	11:12:55
5	between the trains. So we need to expand our database to	11:13:08
б	include those. So I think that's necessary to do that because	11:13:15
7	those are overpopulated in its use. I'll expand that.	11:13:19
8	So, in conclusion, I think I think my priority	11:13:28
9	will be such a project related to the data, looking at how a	11:13:37
10	lack of rough database and also something that will relate to	11:13:42
11	other fields like design conversion, certainly see these. So	11:13:54
12	I'm thinking, you know, what also has had a potential to be	11:13:59
13	funded.	11:14:04
14	MS. CARROLL: Thank you, Guan.	11:14:08
15	We've got to stop.	11:14:08
16	MR. WINDLEY: Just real quick. I'm Scott Windley from the	11:14:11
17	U.S. Access Board.	11:14:13
18	I just forgot to mention that while my agency is only	11:14:14
19	a \$7-million-a-year agency in our entire budget, I will	11:14:17
20	I can commit some dollars through a fund we have.	11:14:23
21	MS. CARROLL: For a pathways safety	11:14:28
22	MR. WINDLEY: Yes, something. And I agree with Guan that	11:14:31
23	it needs to be somehow made a little bit more understandable	11:14:34
24	because I think that might be why while I've submitted it to	11:14:38
25	NCHRP several times, I've submitted it to TCRP a couple of	11:14:42

1 times, I believe -- it never gets funded. So -- but, anyway, 11:14:47 2 thank you. 11:14:51 MS. CARROLL: Thank you, Scott. Thank you. 3 11:14:51 4 Way in the back there. Rich? 11:14:54 5 MR. BROWN: Thank you. I'm Rich Brown with Transpo 11:14:56 б Industries. 11:14:58 7 I participate in a lot of these meetings. And I sit 11:15:02 here and listen and sort of -- and I just want to reinforce 8 11:15:06 what Scott is saying; but I also feel that in the research 9 11:15:11 10 mode, the basics of a research project, you begin to look at 11:15:15 11 what is currently available. We've got a number of different 11:15:21 12 systems that are out there. 11:15:25 13 Some are better than others, some utilizing different 11:15:28 14 types of rail seal, different manufacturers of rail seal. Rail 11:15:32 seal has been around for a long time. I think we need to 11:15:39 15 broaden research to bring in some of these manufacturers of 16 11:15:43 17 rail seal. 11:15:49 18 And I think also as the program moves forward you 11:15:49 need to have a base point and you need to look at what's 11:15:52 19 currently in use. And I think you need to establish barometers 20 11:15:57 21 as to some systems work better than others. We need to look at 11:16:00 why that is. I don't have the answer but certainly would be 22 11:16:05 23 interested in seeing that evaluation take place. Thank you. 11:16:10 24 MS. CARROLL: Thank you. 11:16:15 11:16:17 25 Paul. Up here.

86

MR. O'BRIEN: Paul O'Brien, the Utah Transit Authority. 1 11:16:21 2 I'd just like to put in a general pitch for the 11:16:25 3 pedestrian-related research and grade-crossing work and 11:16:29 4 research. Now, if we looked over at the last 20 years, the 11:16:33 5 number of people that are using rail transportation has grown 11:16:37 б geometrically; and it's probably not going to slow down. It 11:16:41 7 covers light rail, commuter rail. Now we're talking about more 11:16:46 8 intercity service. So I think it's time that we really devote 11:16:50 9 some effort to both the pedestrian and the grade crossing. You 11:16:56 know, how will we -- we are going to have more pedestrians 10 11:17:08 11 around trains whether we -- whether we like it or not it's 11:17:10 12 going to come to it. 11:17:10 13 MS. CARROLL: Thank you for your perspective. 11:17:16 14 Does anybody else have a comment? A question? 11:17:18 ATTENDEE 3: Here, in the middle. 11:17:20 15 MS. CARROLL: Actually, I was going to call on our foreign 16 11:17:21 17 visitors to share their insights and connections with our U.S. 11:17:24 18 research. 11:17:29 MR. LAKE: Hello. I'm Ian Lake from the Railway Safety 11:17:30 19 Commission of Ireland. Thanks for the invite available for me 20 11:17:34 21 and crossings. 11:17:34 22 I'm just meaning to say a couple of words. And it's 11:17:38 been interesting to observe lots of common issues, and things 11:17:44 23 24 aren't that different that I left on the other side of the Pond 11:17:50 11:17:55 25 over in Europe. And I'm going to hedge work some uses here on

87

1these to outreach and education to look back a bit. It's the11:17:592same issue. How do you get past reaching less than 1 percent11:18:003of the population when something approaching hundreds in the11:18:054population use level crossings and end up with 100 percent they11:18:105give you?11:18:15

б Flange-way gaps, I mean, that's a potential issue, 11:18:16 7 surfacing, particularly in Vienna. You have a higher grade 11:18:20 8 surface in a lot of these sites in Europe, reductions just like 11:18:23 that; and in those the last stand. It's a big issue for them. 9 11:18:26 10 And indeed their common networks is the place. And private 11:18:34 11 crossings is the bane of our lives. And we anguish having 11:18:39 12 another one, that's basically where our avoidable fatalities 11:18:42 13 occur, the bulk of them. 11:18:47

14 But my other point was, as well, is that -- make sure 11:18:50 you look around and look over to Europe before you spend a lot 11:18:53 15 16 of money on some of these things because, I mean, there's been 11:18:57 17 a lot of talk about 125-mile-an-hour for high-speed and 11:19:01 18 crossings on high-speed lines; but I mean, if you go and talk 11:19:06 to the French and Germans they'd probably cost you an hour. 11:19:11 19 20 They wouldn't even think of a level crossing on a 11:19:12 21 125-mile-an-hour. 11:19:17 22 And that not even for safety reasons. That's purely 11:19:17

23for performance reasons. If you want to get trains from A11:19:1924to B, never crossings with having to back up. And they cause11:19:2625the main bunch up. And get your method from A to B -- train11:19:27

88

1 from A to B, and then we've got crossings methods. And that's 11:19:28 2 even before you start with the issues of 125-mile-an-hour 11:19:31 3 crossings. You're talking about CCTV or supervising level 11:19:35 4 crossings; and, I mean, that's pretty much in the UK. And for 11:19:40 5 over 20 years any crossing over 100 miles an hour has to be 11:19:43 б directly supervised from there or remotely by CCTV. 11:19:47 7 Now, I'm not saying that's necessarily the right way 11:19:51 8 to go; but go over there and talk to someone who's got the 11:19:54 equipment in and say, "How well did it work? How well has it 9 11:19:56 10 performed?" And the boundaries set on it, have notes if they 11:20:00 11 have any. So you can save yourself a lot of taxpayer dollars 11:20:00 12 there. 11:20:06 13 And obstacle detection is something that I think 11:20:07 14 we've briefly touched on today. I know in the last three 11:20:11 weeks -- I went to a conference in London last week. And at 11:20:14 15 least on those ten level crossings, automatic crossings and 16 11:20:17 17 still we had obstacle detections radar by a system that detect 11:20:21 18 any mass in a defined crossing box. So that's a vehicle, 11:20:25 person, soggies or any other foreign object. It could be a 11:20:29 19 20 tree. 11:20:35 21 The equipment is out there. The technology is out 11:20:35 22 there. People are working on these issues, so keep your eyes 11:20:38 23 open and send to me -- though I'm across a map, you phone 11:20:42 24 amongst your friends and say you're not alone on this one. 11:20:44 25 MS. CARROLL: Thank you, Ian, for your insights. And 11:20:49

89

1 I know that a couple of the research problem statements did 11:20:51 2 consider looking at, you know, looking at the international 11:20:55 3 scene to see what's been done in the area. 11:20:58 4 I'd like to turn it over to Sesto to give us the 11:21:02 5 Transport Canada research perspective, if he would oblige. 11:21:06 6 MR. VESPA: My name's Sesto Vespa from Transport Canada. 11:21:14 7 Actually, I was very interested to hear on the 11:21:18 8 subjects come out here very similar the issues that we are 11:21:19 looking at in Canada and certainly we're hoping towards signing 9 11:21:23 10 an MOU with you as to create better cooperation between us. 11:21:26 11 However, I do have a comment in terms of the overall 11:21:29 12 research issues. And that's that when we look at the issue of 11:21:32 human behavior and performance, one of the things that you find 13 11:21:36 is that the systems out there are really very, very safe. What 11:21:39 14 generally is happening now, that when we look at human behavior 11:21:43 15 16 we're also starting to look at the limits of human performance. 11:21:46 17 So one of the things that we need to do is really 11:21:50 make a dent in the kind of things that we're doing right now, 11:21:52 18 is we need to look at really new technology conveying 19 11:21:56 information to human beings. So, for example, that's one of 20 11:21:59 the reasons why I like the issue of GPS remaining a small group 11:22:01 21 22 and an issue in a way -- the issue of LEDs and signage and how 11:22:04 can we do something different. 11:22:08 23 24 Because oftentimes we put blame on human behavior, 11:22:09 25 but in large part the failures of human behavior are really 11:22:12

90

1 failure of human performance. And a lot of our systems are 11:22:16 2 forcing people to make decisions with information that they 11:22:18 3 don't have; for example, in terms of second trains, in terms of 11:22:21 4 higher speed trains, multispeed trains on the same track. 11:22:27 5 So there's a whole bunch of issues that if you want 11:22:29 б to make a difference in occurrence, if you will, statistics 11:22:33 7 considering that we have half of the trespassing fatalities 11:22:37 8 that are due to -- we're finding they're suicides, for example. 11:22:41 11:22:44 9 When we start looking at trespassing, coverage of territory, what that involves, that we really need to have a much better 10 11:22:49 understanding of how human beings make decisions and why they 11:22:52 11 12 make those decisions and what kind of technology do we need to 11:22:56 13 11:22:59 really help provide them with new information. So I really want to support the issues of looking at 14 11:23:04 the new technology from the point of view of how can we convey 11:23:07 15 16 more information but in a way that human beings can actually 11:23:09 understand and without the possibility of error. So that's 17 11:23:12 18 what I would emphasize. 11:23:15 MS. CARROLL: Thank you, Sesto. I think I'm going to 11:23:17 19 learn how to Tweet. 20 11:23:20 21 Anybody else? Would our colleague from Taiwan like 11:23:22 22 to say a few words, Shou-Ren? 11:23:26 23 MR. HU: I'm Shou-Ren Hu from the National University in 11:23:34 24 Taiwan, and I'm here because I realize that there's a severe 11:23:37 problem at railroad crossings in Taiwan. Even though we have a 25 11:23:42

91

different number of railroad crossings, but the number of 1 11:23:47 2 fatalities has been quite high due to this regarding data in 11:23:51 3 the States and also looking at European countries. 11:23:58 4 And I notice direct sorts of low fatality behavior, 11:24:01 5 especially for due to drivers. Those are crazy people that --11:24:05 б where they don't really care about the control at the railroad 11:24:11 7 crossing, for example. 11:24:15 8 And secondly, I'm here to share my information. We 11:24:16 9 have a high-speed rail just opened last January. It's the very 11:24:24 10 first imported train, high-speed rail ground. It just opened 11:24:29 last January. This was flown in. It's approximately 58 11:24:35 11 12 kilometers from northern to southern. It's a fully elevated 11:24:41 13 high-speed rail system. So we don't have any crossing --11:24:47 14 railroad crossing problems so far. And this is the kind of 11:24:51 15 information I would like to show you. 11:24:55 16 My one final comment, being a Taiwanese person, you 11:24:57 17 have to be very -- I think that's the data, a lot of 11:25:02 11:25:04 18 information; but also our spirits are there in the Asian community. So I think this would be to -- it looks to me like 11:25:08 19 20 I'm here to learn something more and also to share some 11:25:12 21 international information also from me. Thank you. 11:25:16 22 MS. CARROLL: Thank you. 11:25:22 23 Any other comments? Questions? 11:25:23 24 All right. Well, we were supposed to finish at 11:25:27 25 11:15; but the conversation was going so well, and we still 11:25:30

92

1 have one final speaker.

11:25:33

2	So, without further ado, I would like to ask Len	11:25:35
3	Allen, who is our program manager at FRA and who has provided	11:25:45
4	the support to us to be able to conduct this workshop here, to	11:25:48
5	give us some closing remarks. Len.	11:25:53
6	MR. ALLEN: Thanks. I just wanted to say thanks to	11:25:58
7	everyone here for participating in this workshop and taking	11:26:00
8	time out of your busy schedules and coming up with the travel	11:26:03
9	funds to travel in these tough times.	11:26:07
10	I think we've done a lot of good work here. We came	11:26:11
11	up with a lot of good ideas that FRA will use to focus their	11:26:14
12	research over the next few years. And we've got for those	11:26:20
13	of you who don't know, we've got about \$2 million in our budget	11:26:26
14	for grade crossing research which isn't a lot of money; but	11:26:29
15	I think that the ideas that we've created here today can be	11:26:33
16	used not only by FRA but by AASHTO, by TRB, AAR. Perhaps our	11:26:37
17	friends from Canada, Transport Canada can cooperate on some of	11:26:45
18	the projects that we find that we have a mutual need on.	11:26:51
19	As far as the results of this workshop are concerned,	11:26:57
20	we're planning on putting together a report of those one-page	11:27:01
21	summaries that we came up with in our workshops and probably	11:27:06
22	publishing that in a couple of weeks. And then we will have a	11:27:12
23	more comprehensive report probably in a couple of months that	11:27:16
24	will analyze some of the results and categorize them and put	11:27:20
25	them in a sort of theme that will help us focus our research.	11:27:27

93
And we've gone through and had people stand up as far	11:27:32
as the steering committee is concerned, the speakers, the team	11:27:35
leaders, facilitators and the Volpe staff that made this all	11:27:40
happen; but once again, I'd like to thank you all for	11:27:44
participating in this and helping FRA focus their research	11:27:47
needs in the future. Thank you.	11:27:51
ATTENDEES: (Applause.)	11:27:55
MR. daSILVA: Thank you, Len.	11:28:04
I think this wraps up the morning session, unless Dee	11:28:05
has anything else to add.	11:28:10
MS. CHAPPELL: What are the instructions for this?	11:28:13
MR. daSILVA: For the right.	11:28:16
MS. CARROLL: I think based on operator error and our	11:28:23
operator overload became an error that we need to realign	11:28:26
ourselves with the exact titles and all of the needs and go out	11:28:30
either electronically or with Survey Monkey or something else	11:28:36
so that we accurately reflect everybody's issues appropriately.	11:28:40
So you can be looking forward, thinking about	11:28:45
I think they'll be one-pagers. Dee is going to give you some	11:28:49
more information about what might be available outside as you	11:28:55
depart. And then there's a few there's about 19 or so of	11:28:59
you that are going on the tour. And, hopefully, Dee will talk	11:29:01
to that, too.	11:29:06
MS. CHAPPELL: I want to thank everyone for hanging in	11:29:08
there for these past two-and-a-half days, full of information.	11:29:11
	<pre>as the steering committee is concerned, the speakers, the team leaders, facilitators and the Volpe staff that made this all happen; but once again, I'd like to thank you all for participating in this and helping FRA focus their research needs in the future. Thank you. ATTENDEES: (Applause.) MR. daSILVA: Thank you, Len. I think this wraps up the morning session, unless Dee has anything else to add. MS. CHAPPELL: What are the instructions for this? MR. daSILVA: For the right. MS. CARROLL: I think based on operator error and our operator overload became an error that we need to realign ourselves with the exact titles and all of the needs and go out either electronically or with Survey Monkey or something else so that we accurately reflect everybody's issues appropriately. So you can be looking forward, thinking about I think they'll be one-pagers. Dee is going to give you some more information about what might be available outside as you depart. And then there's a few there's about 19 or so of you that are going on the tour. And, hopefully, Dee will talk to that, too. MS. CHAPPELL: I want to thank everyone for hanging in</pre>

1 And tried our darnedest to be great hosts and hostesses here. 11:29:16 2 Like my mom says, "Always make sure when people come to visit 11:29:21 3 you they're not happy to see you twice. Happy to come and 11:29:23 4 happy to go." 11:29:27 5 So, with that, I wish you all safe travel; but for 11:29:28 б those who will be participating with the tour, I'll ask you if 11:29:31 7 you could please come down front over here to my right, your 11:29:34 8 left. And we'll talk to the logistics. 11:29:39 9 And is Gerry Ruggiero here? Has he made it yet? 11:29:42 Okay. He will be your guide over to the Silver Line. 10 11:29:47 So, with that -- those -- Dan Lauzon for the 11:29:50 11 12 Brotherhood. 11:29:56 13 11:29:57 MR. LAUZON: Yes. 14 MS. CHAPPELL: Did you have your opportunity? I know you 11:29:58 15 wanted to make that statement. 11:30:00 MR. LAUZON: Oh, no, no. That's okay. I covered the 16 11:30:02 17 tracks. 11:30:02 MS. CHAPPELL: Excellent. 11:30:02 18 MR. LAUZON: But I will -- all right. You brought it up. 11:30:04 19 20 The Brotherhood of Locomotive Engineers stands ready to assist 11:30:06 21 anybody -- I just wanted to speak on behalf of the Brotherhood 11:30:13 22 of Locomotive Engineers. We would be willing to help anybody 11:30:16 23 throughout the United States, in all 49 states who have rail. 11:30:20 24 So if you feel that you may have that need, you know, see me; 11:30:24 25 and I'll provide you with the contact information. Thank you. 11:30:29

95

1	MS. CHAPPELL: Thank you. And with that, I thank	11:30:35
2	everybody for coming. And please, safe travels and until next	11:30:38
3	time.	11:30:41
4	ATTENDEES: (Applause.)	11:30:41
5	MS. CHAPPELL: Excuse me. One last, last announcement.	11:30:58
б	There are a number of handouts outside that are they're all	11:31:01
7	the all of the projects, project descriptions and project	11:31:04
8	templates. We have copies of all of them outside on the table	11:31:09
9	for you. Thank you.	11:31:12
10	(Ending time: 11:31 a.m.)	
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

```
REPORTER'S CERTIFICATE
```

)

2 COMMONWEALTH OF MASSACHUSETTS)

3 NORFOLK, SS.

1

I, DONNA KIMMEL, Registered Diplomate Reporter, Certified
Realtime Reporter, MCRA Certified Shorthand Reporter

No. 116293, and Massachusetts Notary Public whose Commissionexpires March 24, 2011, certify;

8 That the foregoing proceedings were held before me 9 at the time and place therein set forth;

10 That the presentations, the questions propounded, and all 11 statements made at the time of the proceedings were recorded 12 stenographically by me and were thereafter transcribed;

13 That the foregoing is a true and correct transcript 14 of my shorthand notes so taken.

15 I further certify that I am not a relative or 16 employee of any attorney of the parties, nor financially 17 interested in the proceedings.

I declare under penalty of perjury under the laws
of Massachusetts that the foregoing is true and correct.
Dated this 21st day of July, 2009.

21

22

23

DONNA KIMMEL, CSR No. 116293

24

25

Appendix F. All Research Needs

Contents

Grade Crossing Modernization Traffic Patterns New Technology Opportunities Regulations and Enforcement Education and Public Awareness Institutional Issues

Topic No.	Research Need Title
GCM-1	Warning Device Minimum Requirement for 80-110 MPH Trains
GCM-2	Flangeway Gap Solutions
GCM-3	Global Positioning Satellite (GPS)/Positive Train Control (PTC)
UCIVI-5	Constant Warning Time
GCM-4	Second Train Warning Devices for Pedestrian Crossings
GCM-5	Personal Detection Device for Railroad Workers
GCM-6	Channelization at Pedestrian Crossings
GCM-7	Skewed Angle Pedestrian Crossings
GCM-8	Humped/High Profile Crossing Approaches
CGM-9	System to Monitor and Assess Existing Warning Devices
CGM-10	Develop Lower Cost Warning Devices for HSR
GCM-11	In-vehicle Warning System
CGM-12	Automated Vehicle (Automobile) Stopping System
GCM-13	Best Practices/Model Specifications for Ideal Crossing
GCM-14	Surface Material Performance – Entire Crossing
GCM-15	Best Practices for Crossing Surfaces
GCM-16	Investigate Alternative Warning Devices at Ped/Pathway Crossings
GCM-17	Lower Cost, Lower Volume User-activated Crossings
GCM-18	Low Cost Pedestrian 4-Quad Gates

Grade Crossing Modernization Research Needs

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-1 Warning Device Minimum Requirement for 80-110 MPH Trains
3. Title	
4. Project Statement	Research and determine warning device requirements for high- speed corridors in the 80-110 mph range.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s)	Human Factors X Transit-oriented Communities Data Requirements X High Speed Rail
6. Relationship to Current Research	X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Clarity of regulatory requirements.
8. Research Need Urgency	X High Medium Low
9. Cost of Research	High >\$500K X Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA, Volpe, Highway Agencies
11. Ease of Implementation	X Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Trespassing considerations? (improved trespasser abatement)

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-2
3. Title	Flange way Gap Solutions
4. Project Statement	Flange way gaps at level grade crossings are a problem for wheel chair users as well as bicyclists and other non-motorized vehicles with small or narrow wheels.
	A material needs to be researched that would fill the gap and withstand rail cars without derailment. Weather factors would also need to be addressed.
	Research and develop an effective treatment for rails or rail crossings so that pedestrians using wheelchairs may cross tracks without risk of entrapment.
5. Cross-cutting Areas	X Human Factors
Please mark a mark an X next	X Transit-oriented Communities
to the applicable area(s).	Data Requirements
	X High Speed Rail
6. Relationship to Current Research	X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Improve safety for all users of crossings
8. Research Need Urgency	X High Medium Low
9. Cost of Research	X High $>$ \$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FRA, Volpe, AAR, TTC
11. Ease of Implementation	X Easy Medium Difficult
If medium or difficult, list key	Issues:
implementation issues.	Easy to implement in new construction and alterations once material is identified.
12. Other Comments	Injuries and fatalities have occurred from people with disabilities getting their front casters stuck.

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-3
3. Title	Global Positioning Satellite (GPS)/Positive Train Control (PTC) Constant Warning Time
4. Project Statement	Develop lower cost constant warning time system. (more cost effective) Would the use of GPS be less expensive, cost effective
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors Transit-oriented Communities Data Requirements X High Speed Rail
6. Relationship to Current Research	X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	More likely to be used/implemented
8. Research Need Urgency	X High Medium Low
9. Cost of Research	X High $>$ 500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FRA, Volpe, Highway Agencies, Railroads
11. Ease of Implementation If medium or difficult, list key implementation issues.	X Easy Medium Difficult Issues: If it is cheap, it is easy.
12. Other Comments	Potential to use in other areas.

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-4
3. Title	Second Train Warning Devices for Pedestrian Crossings.
4. Project Statement	Develop and recommend universal active warning devices to let pedestrians know if a second train is approaching.
	Pedestrians and Motorists. Standardized through MUTCD.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next to the applicable area(s).	 Transit-oriented Communities X Data Requirements High Speed Rail
6. Relationship to Current Research	New X Supplemental (list organization & title of current research) Transport Canada Report on Second Train Warning Signs; LAMTA Report on Second Train Warning Active Devices, etc.
7. Potential Benefit(s) of Identified Research Need Area	Prevent fatalities
8. Research Need Urgency	X High Medium Low
9. Cost of Research	High > $$500K$ X Medium = $$150K - $500K$ Low < $$150K$
10. Potential Organization(s) to Conduct Research	FRA, Volpe, and FHWA.
11. Ease of Implementation	X Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-5
3. Title	Personal Detection Device for Railroad Workers
4. Project Statement	Develop a type of personal protection device using GPS/PTC technology that a railroad employee could wear to warn of approaching trains. Device could be used not only at RR crossings but anywhere on the right of way.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	X Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	 New X Supplemental (list organization & title of current research) Railway worker protection system FRA R&D. FTA Right-of-way protection (PROTRAN – employee, railway, train devices – set wayside train detectors or train based detectors that notify personnel).
7. Potential Benefit(s) of Identified Research Need Area	Safety – reduce/eliminate roadway worker injury and deaths.
8. Research Need Urgency	X High Medium Low
9. Cost of Research	High >\$500KX Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FRA (coordinate with FTA)
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	FTA – is developing a PROTRAN safety system (not GPS based) Limitations to GPS technology – tunnels & canyons (connectivity issues).

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-6
3. Title	Channelization at Pedestrian Crossings
4. Project Statement	Study and research the effectiveness of swing gates, "zee' style fencing leading up to the tracks, and other related channelization structures.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next to the applicable area(s).	Transit-oriented Communities _X Data Requirements
	High Speed Rail
6. Relationship to Current	New _X Supplemental (list organization & title of current
Research	research) CPUC documents Z-gates (not effectiveness).
	Other places implemented – effectiveness not categorized.
7. Potential Benefit(s) of Identified Research Need Area	Reduce the wide open area of a pedestrian crossing into small specific area designed to transport pedestrians smoothly.
8. Research Need Urgency	HighXMediumLow
9. Cost of Research	High >\$500KMedium = \$150K - \$500KX_Low < \$150K
10. Potential Organization(s) to Conduct Research	Volpe
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-7
3. Title	Skewed Angle Pedestrian Crossings
4. Project Statement	Identify and recommend the maximum skewed angle for a pathway/sidewalk approaching the tracks.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next	Transit-oriented Communities _X_ Data Requirements
to the applicable area(s).	High-Speed Rail
6. Relationship to Current Research	New _X Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Greatly reduce the number of incidents, accidents, and fatalities when wheels get hung up on the skewed flangeway.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	$\underline{} High > \$500K \qquad \underline{} Medium = \$150K - \$500K \qquad \underline{} X_Low < \$150K$
10. Potential Organization(s) to Conduct Research	FRA
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	If #2 (Flange way Gap) is addressed, then #7(skewed angle) becomes less important.

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-8
3. Title	Humped / High Profile Crossing Approaches
4. Project Statement	Due to the variability in truck and trailer design, investigation is needed to determine if W10-5 warning sign should have a supplemental plaque to categorize severity of profile.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	Human Factors Transit-oriented Communities _X Data Requirements _X High Speed Rail
6. Relationship to Current Research	 New _X Supplemental (list organization & title of current research) Possible NTSB accident report. FRA LIDAR project.
7. Potential Benefit(s) of Identified Research Need Area	Providing operators with advance information of high profile crossings could avoid potential catastrophic derailments.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	$_{\rm High > \$500K}$ _X_ Medium = $\$150K - \$500K$ _ Low < $\$150K$
10. Potential Organization(s) to Conduct Research	NCHRP
11. Ease of Implementation	EasyX_MediumDifficult
If medium or difficult, list key implementation issues.	Issues: Will require road authority to survey approaches in order to classify hump severity.
12. Other Comments	The DOT inventory form has a field for humped crossings. This could be used by operators to identify routes.

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-9
3. Title	System to Monitor and Assess Existing Warning Devices
4. Project Statement	Study and develop an effective process to assess and monitor the age and condition of "older" warning devices and components, and manage a replacement or upgrading program to maximize safety with scarce funding resources.
	Best practices for States and RRs.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors _X_ Transit-oriented Communities _X_ Data Requirements _X_ High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Reduce maintenance costs and failure rates. Reduce interruption to train operations. Efficient use of scarce funding.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	High >\$500KX_ Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FRA Office of R&D, States, and Railroads.
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy _X_ Medium Difficult Issues: Determine age or Performance Standard for older devices (failure rate or maintenance calls to field).
12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-10
3. Title	Develop Lower Cost Warning Devices for HSR
4. Project Statement	At private crossings where train speeds or volumes will not accept manual locking gates, develop active warning devices that may include recycled active devices or components, and that may provide a simpler level of warning at the private crossing (no constant warning time). Lower cost than current systems used at public crossings.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors X_ Transit-oriented Communities Data Requirements X_ High Speed Rail
6. Relationship to Current Research	_X_ New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Enhanced safety at private crossings that do no depend on crossing user to lock it after use, etc.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA through Broad Agency Agreement
11. Ease of Implementation	Easy _X_MediumDifficult
If medium or difficult, list key	Issues:
implementation issues.	Property owners responsibilities (establish)
	Maintenance responsibilities (establish)
12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-11
3. Title	In-vehicle Warning System
4. Project Statement	Develop and evaluate an in-vehicle warning system that indicates to the motorist that a train is coming. The device would use GPS to determine whether the vehicle is going to cross the grade crossing. It would also use a signal from the railroad wayside equipment which would indicate whether or not a train is approaching.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities Data Requirements _X_ High Speed Rail
6. Relationship to Current Research	_X_New Supplemental (list organization & title of current research) A number of in-vehicle warning systems have been tried
7. Potential Benefit(s) of Identified Research Need Area	Collision avoidance.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	$_{\rm High} > $500K _X_Medium = $150K - $500K _Low < $150K$
10. Potential Organization(s) to Conduct Research	Volpe, FHWA, NHTSA
11. Ease of Implementation	EasyMediumX_Difficult
If medium or difficult, list key implementation issues.	Issues:
	The in-vehicle device could use existing GPS Navigation system to keep down implementation cost. Coordinate with NHTSA would be needed to implement.
	Institutional barrier
12. Other Comments	Difficult to implement – institutional barrier. Size and variability of vehicle fleet.

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-12
3. Title	Automated Vehicle (automobile) Stopping System
4. Project Statement	Develop an in-vehicle control system to stop a highway vehicle from entering the highway-rail intersection when a collision is predicted.
	System should have signal from wayside system (train), GPS invehicle that integrates with acceleration and braking of vehicle.
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next	Transit-oriented Communities
to the applicable area(s).	Data Requirements _X High Speed Rail
6. Relationship to Current Research	NewX Supplemental (list organization & title of current research)
	FHWA, JPO work Stop Sign Collision Avoidance
7. Potential Benefit(s) of Identified Research Need Area	Positive collision avoidance
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	Volpe – auto industry - AAR
11. Ease of Implementation	EasyMediumX_Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Build off FHWA and RITA/JPO ITS work (Cooperative Intersection Collision Avoidance Systems, Vehicle Track Interaction, Integrated Vehicle-Based Safety Systems, IntelliDrive). Partial technology exists.

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-13
3. Title	Best Practices / Model Specifications for Ideal Crossing
4. Project Statement	More local governments and developers are upgrading crossings to accommodate growth and traffic. This specification would provide example of a best practice crossing installation as related to contain types of rail lines. Would place condensed recommendations of TWG 2003 Crossing document in one place. Estimating Tool
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next to the applicable area(s).	 Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	New _X Supplemental (list organization & title of current research) Add-on to 2002 TWG Crossing document.
7. Potential Benefit(s) of Identified Research Need Area	Freight and integrity rail passenger lines. Commuter rail. Other rail transit.
8. Research Need Urgency	HighXMediumLow
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	TRB / IDEA
11. Ease of Implementation	Easy _X_MediumDifficult
If medium or difficult, list key implementation issues.	Issues: Determine classes/types of rail lines with stakeholders. Condemning down existing specs, w/o diluting.
12. Other Comments	Would include signal/surface and corridor (closure) best practices.

2. Research Topic Area/Number GCM-14 3. Title Surface Material Performance – Entire Crossing 4. Project Statement Compile performance data for crossing surfaces to established life cycles and costs of different surface types. 5. Cross cutting Areas Human Factors	1. Research Needs Area	Grade Crossing Modernization (GCM)
3. Title Surface Material Performance – Entire Crossing 4. Project Statement Compile performance data for crossing surfaces to established life cycles and costs of different surface types.	2. Research Topic Area/Number	GCM-14
cycles and costs of different surface types.	•	Surface Material Performance – Entire Crossing
5 Cross cutting Areas Human Factors	4. Project Statement	
J. Closs-cutting Areas	5. Cross-cutting Areas	Human Factors
Please mark a mark an X nextX Transit-oriented Communities	Please mark a mark an X next	
to the applicable area(s) Data Requirements _X High Speed Rail	to the applicable area(s).	-
6. Relationship to Current New _X Supplemental (list organization & title of current	-	
Research research)	Research	
Some States have conducted individual research 7. D (c) (1) D (c) (2) (2) (2) (2) (2) (2) (2) (2) (2) (2		
7. Potential Benefit(s) of Identified Research Need Area		Better crossing surfaces can increase safety
8. Research Need Urgency High MediumX Low	8. Research Need Urgency	HighMediumXLow
9. Cost of Research High >\$500K Medium = \$150K - \$500KX_ Low < \$150K	9. Cost of Research	$\underline{} High > \$500K \qquad \underline{} Medium = \$150K - \$500K \qquad \underline{} X_Low < \$150K$
10. Potential Organization(s) to Conduct ResearchTRB, FRA, NCHRP, TCRP, and FHWA.		TRB, FRA, NCHRP, TCRP, and FHWA.
11. Ease of Implementation _X_EasyMediumDifficult	11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key Issues:		Issues:
implementation issues.	implementation issues.	
12. Other Comments	12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-15
3. Title	Best Practices for Crossing Surfaces
4. Project Statement	Guidelines to provide crossing surface material.
	Study methods used to keep grade crossings surfaces durable, maintain drainage runoff to prevent track fouling, and levels consistent to alleviate humps.
	Compilation of best practices compilation - document & finding research – not field demo.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next	Transit-oriented Communities
to the applicable area(s).	_X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_XNewSupplemental (list organization & title of current
Research	research) AREMA, Grade Crossing Handbook (not to extent desired)
	Gerry Rose (University of Kentucky), Some States.
7. Potential Benefit(s) of	Allows for cost savings of crossing maintenance.
Identified Research Need Area	
8. Research Need Urgency	HighMediumX_Low
9. Cost of Research	
10. Potential Organization(s) to Conduct Research	Volpe, AREMA
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-16
3. Title	Investigate Alternative Warning Devices at Ped/Pathway Crossings
4. Project Statement	Investigate the effectiveness of passive and active warning devices at pedestrian pathway at grade crossings.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	NewX_ Supplemental (list organization & title of current research) Multiple Agencies have compiled info but did evaluate effectiveness Many States have conducted research – limited findings
7. Potential Benefit(s) of Identified Research Need Area	Improve warning devices for use at pathway crossings.
8. Research Need Urgency	HighMediumXLow
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	NCHRP
11. Ease of Implementation	EasyX_MediumDifficult
If medium or difficult, list key implementation issues.	Issues: Might require adoption of new warning devices in MUTCD by FHWA.
12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-17
3. Title	Lower Cost, Lower Volume User-activated Crossings
4. Project Statement	Develop low cost private crossing controlled-access equipment, such as locking gates that can not be operated in a train is an approach.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X Human Factors _X Transit-oriented Communities Data Requirements _X High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Enhanced safety for transit systems and railroads on lines with lower train volumes, lower train speeds, or lower traffic volumes.
8. Research Need Urgency	HighX_MediumLow
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA / Broad Agency Announcement
11. Ease of Implementation	EasyX_MediumDifficult
If medium or difficult, list key	Issues:
implementation issues.	Needs to be simple to use
	Needs to verify that it is closed and locked.
12. Other Comments	

1. Research Needs Area	Grade Crossing Modernization (GCM)
2. Research Topic Area/Number	GCM-18
3. Title	Low Cost Pedestrian 4-Quad Gates
4. Project Statement	Develop low-cost, four-quad gates for pedestrian crossings similar to those installed in Bregenz, Austria. The gates should reflectorized and a chain link fence should extend at least 50 feet in each direction to prevent going around the gates.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	NewX_ Supplemental (list organization & title of current research) Similar system is installed.
7. Potential Benefit(s) of Identified Research Need Area.	Protects pedestrians
8. Research Need Urgency	HighMediumLow
9. Cost of Research	High >\$500KXMedium = \$150K - \$500KXLow < \$150K
10. Potential Organization(s) to Conduct Research	Volpe
11. Ease of Implementation	_X_Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	A similar system was installed in Bregenz, Austria.

Topic No.	Research Need Title
TP-1	Application of Warning Devices/Treatments at High Speed Rail Crossings
TP-2	Highway Traffic Signal Pre-emption at Highway-Rail Grade Crossings
TP-3	Effectiveness of Gates for Pedestrians
TP-4	Signage at Roundabouts
TP-5	Driver Decision Making At Complex Crossings
TP-6	Review and Improvement of Hazard Indices and Accident Prediction Formulae
TP-7	Driver Reaction to Active Advance Warning Signs and Variable Message Signs
TP-8	Driver Compliance with "Do Not Stop on Tracks" Sign
TP-9	Driver Behavior at Crossings with Mix Train Traffic
TP-10	Impact Of Storage Information Sign on Long-Wheel Base Vehicle Use
TP-11	Railroad Signals Through Roundabouts
TP-12	Identify Barriers to Crossing Consolidation Implementation
TP-13	Method for Estimating Traffic Volumes at Grade Crossings Where Counts are not Available
TP-14	Review of Current GIS Methods and Data for "hot spot" Analysis
TP-15	Investigate Safety Performance of Grade Crossings Using Microsimulation
TP-16	Best Methods For Linkage/Sharing of Crossing Data, Traffic Data, and Collision Data Among Stakeholders (Agencies, Industry, and Public)

Traffic Patterns Research Needs

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP-1
3. Title	Application of Warning Devices/Treatments at High Speed Rail Crossings
4. Project Statement	Determine adequate warning devices for High Speed Rail up to 110 MPH. Determine or evaluate whether or not existing types of warning devices are adequate for use on HSR corridors. Above 79 MPH, should different devices be required and at what speeds? Recommend treatments for pedestrian traffic at HSR crossings. Identify pathway crossing treatments for HSR crossings.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors _X_ Transit-oriented Communities _ Data Requirements _X_ High Speed Rail
6. Relationship to Current Research	New _X Supplemental (list organization & title of current research) FRA R&D reports on the effectiveness of HSR warning devices; NCDOT, etc.
7. Potential Benefit(s) of Identified Research Need Area	Standardize treatments for more effective and efficient design. Reduce likelihood of incidents at HSR crossings.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FHWA, AASHTO, FRA, TRB,
11. Ease of Implementation	Easyx_MediumDifficult
If medium or difficult, list key implementation issues.	Issues: Broad scope of dealing with HSR between stakeholders.
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 2
3. Title	Highway Traffic Signal Pre-emption at Highway-Rail Grade Crossings
4. Project Statement	Assess best practices nationally to determine proper application or use of traffic signal preemption at highway-rail grade crossing. Determine proper use of advanced preemption versus simultaneous pre-emption. Review equipment (hardware and software), particularly on the traffic signal controller side, to ensure those devices can adequately perform preemption as intended. Also assess best practices of field reviewing preemption. Research accident reports to identify "hot spots" (high incident areas) and factors relevant to preemption.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors X_ Transit-oriented Communities X_ Data Requirements X_ High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Reduce incidents More efficient traffic management
8. Research Need Urgency	HighX_MediumLow
9. Cost of Research	_X_High >\$500KMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	EasyMediumX_Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 3 Effectiveness of Gates for Pedestrians
3. Title	Effectiveness of Gates for Pedestrians
4. Project Statement	Need to test the effectiveness of various gate treatments for pedestrians and passenger stations, commuter rail crossings in transit oriented development and freight rail crossings. Gather information for development of warrants.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X Human Factors _X Transit-oriented Communities Data Requirements _X High Speed Rail
6. Relationship to Current Research	X_NewSupplemental (list organization & title of current research) Effectiveness of devices in pedestrian brochure published by FRA January 2008.
7. Potential Benefit(s) of Identified Research Need Area	Learn effectiveness of having pedestrian treatment inside versus outside of gate mechanisms and other gate treatments at stations and transit oriented developments.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	Volpe Center
11. Ease of Implementation If medium or difficult, list key implementation issues.	_ Easy Medium _X_ Difficult Issues:
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 4
3. Title	Signage at Roundabouts
4. Project Statement	Evaluate alternatives for advanced warning signs within or in close proximity to roundabouts. Need to develop an advanced warning sign(s) for a crossing located within 100 feet of the yield line at a roundabout. There is currently no equivalent series of signs to the W10-2, 3, & 4 for crossings in close proximity to roundabouts. A sign also needs to be developed for situations where the rail line runs directly through a roundabout. Review body of existing literature in international examples.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors _X_ Transit-oriented Communities Data Requirements _X_ High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	National standard signage for MUTCD.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	$_{\rm High > \$500K}$ _X_ Medium = $\$150K - \$500K$ _ Low < $\$150K$
10. Potential Organization(s) to Conduct Research	FHWA
11. Ease of Implementation If medium or difficult, list key implementation issues.	_x_Easy Medium Difficult Issues:
12. Other Comments	

Traffic Patterns (TP)
TP - 5
Driver Decision Making at Complex Crossings
Close proximity between rail/tracks and complex intersection such as roundabouts and multiple access roads near RRX. Driver must divide attention and make decision in a short period of time. Purpose: Better understanding of driver performance and information needed in order to provide means to reduce driver error. Expected outcome: Input design process and safety review and enhancements.
_X Human Factors
Transit-oriented Communities
Data Requirements
High Speed Rail
_X New Supplemental (list organization & title of current research)
Reduce driver confusion and information overload.
Reduce driver error and improve safety and mobility.
_X_HighMediumLow
_X_High >\$500KMedium = \$150K - \$500KLow < \$150K
USDOT in coordination with local DOTS (FRA)/Volpe
Easy _X_MediumDifficult
Issues:
Potential to combine with grade crossing modernization and new technology opportunities.

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 6
3. Title	Review and Improvement of Hazard Indices and Accident Prediction Formulae
4. Project Statement	New methods for evaluating the system safety performance of crossings are needed. The API calculation has become less valuable as the majority of crossings with high train and traffic volumes have been signalized or grade-separated. The risk of a low-volume crossing is not fully reflected in the current evaluation standard, and the API calculation may indicate crossings for upgrade that do not warrant signalization. A standardized evaluation method should be established for multiple agency use.
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next to the applicable area(s).	 Transit-oriented Communities X_ Data Requirements High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	A holistic evaluation method will help state agencies to select crossings that most deserve improvements.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	_X_High >\$500KMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	TRB or AASHTO
11. Ease of Implementation	EasyX_MediumDifficult
If medium or difficult, list key implementation issues.	Issues: Complexity of issue.
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 7
3. Title	Driver Reaction to Active Advance Warning Signs and Variable Message Signs
4. Project Statement	Signs and variable message sign.
	Issue: Provide advance warning and information to highway users. EX train presence and or vehicle stopped at crossings queue at crossing approach.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors _X_ Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	_XNewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Examine feasibility and application of its technology at rail road crossings. Purpose: Provide options/ alternatives to users. Provide alternative for traffic management.
8. Research Need Urgency	HighMediumLow
9. Cost of Research	High >\$500KMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 8
3. Title	Driver Compliance with "Do Not Stop on Tracks" Sign
4. Project Statement	Compare current "Do Not Stop on Tracks" sign with Canadian sign and active "Do Not Stop on Tracks" sign.
	Purpose: Effectiveness of each sign
	Evaluation with focus group
	Field evaluation
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next	Transit-oriented Communities
to the applicable area(s)	Data Requirements
	High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of	Determine better alternative
Identified Research Need Area	Review and if required revise warrants
8. Research Need Urgency	Highx_MediumLow
9. Cost of Research	High >\$500KXMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	Volpe
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key	Issues:
implementation issues.	
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 9
3. Title	Driver Behavior at Crossings with Mix Train Traffic
4. Project Statement	Need to understand driver behavior at crossings used by freight and passenger trains with variable speed. Purpose: To evaluate driver behavior at crossings with trains of different speeds. Drivers will have higher compliance at crossings with only high speed trains.
5. Cross-cutting Areas Please mark a mark an X next to	x_ Human Factors x_ Transit-oriented Communities
the applicable area(s).	Data Requirements
and approach mon(c).	_x_ High Speed Rail
6. Relationship to Current	
Research	research)
7. Potential Benefit(s) of Identified Research Need Area	
8. Research Need Urgency	_x_HighMediumLow
9. Cost of Research	$x_High >$ 500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	Volpe, TTI
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy _x_MediumDifficult Issues:
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 10
3. Title	Impact Of Storage Information Sign on Long-Wheel Base Vehicle Use
4. Project Statement	 New signs have recently been implemented at warning highway users of restricted storage space between tracks and nearby intersection. Before and after survey of drive behavior Inventory of alternate signs across world Evaluation of signs
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities Data Requirements _X High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	 Effectiveness of signs Possible improvement Possible alternative warning systems.
8. Research Need Urgency	HighXMediumLow
9. Cost of Research	
10. Potential Organization(s) to Conduct Research	Volpe
11. Ease of ImplementationIf medium or difficult, list keyimplementation issues.12. Other Comments	_X_Easy Medium Difficult Issues:

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 11
3. Title	Railroad Signals Through Roundabouts
4. Project Statement	Determine types of active warning devices to be used when a rail line runs through a roundabout. Need to determine location of devices with respect to roundabout approaches and the circular roadway and how they are to operate. Review body of existing literature in international examples.
5. Cross-cutting Areas	_x_ Human Factors
Please mark a mark an X next to	_x_ Transit-oriented Communities
the applicable area(s).	_x_ Data Requirements
	High Speed Rail
6. Relationship to Current	_x_NewSupplemental (list organization & title of current
Research	research)
7. Potential Benefit(s) of	Standardized warning devices used in roundabouts.
Identified Research Need Area	Improve traffic management.
	Standardize user interaction with trains in roundabouts.
8. Research Need Urgency	_x_HighMediumLow
9. Cost of Research	$x_{High} > 500K$ Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to	FRA, FHWA, ASSHTO, TRB
Conduct Research	
11. Ease of Implementation	Easy _x_MediumDifficult
If medium or difficult, list key	Issues:
implementation issues.	
12. Other Comments	
L	
1. Research Needs Area	Traffic Patterns (TP)
--	---
2. Research Topic Area/Number	TP - 12
3. Title	Identify barriers to crossing consolidation implementation
4. Project Statement	FRA has performed research & developed guidance for consolidation (including grade separation & closure) of railroad crossings. The goal of this project is to determine what the challenges are to implementing this guidance and to provide a path forward for implementing them.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	NewX_Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	The project should smooth and speed up the decision-making process for crossing consolidation. Benefits should be short-term and will generally be for state agencies.
8. Research Need Urgency	HighMediumXLow
9. Cost of Research	
10. Potential Organization(s) to Conduct Research	FRA, FHWA
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key	Issues:
implementation issues.	
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 13
3. Title	Method for estimating traffic volumes at grade crossings where counts are not available
4. Project Statement	State agencies use accident prediction formulae that rely on traffic volume values in order to prioritize crossing improvements. Traffic volume data at crossings is routinely unavailable or out-of- date. In the absence of current traffic counts, a method will be developed to estimate traffic volumes based on other criteria, such as nearby traffic volumes, roadway characteristics, and impacts of a nearby crossing, etc.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next to	Transit-oriented Communities
the applicable area(s).	_X Data Requirements High Speed Rail
6. Relationship to Current	X_NewSupplemental (list organization & title of current
Research	research)
7. Potential Benefit(s) of Identified Research Need Area	Standardized methods for estimating traffic volumes at railroad crossings should improve the quality of the prioritization process. State agencies would benefit.
8. Research Need Urgency	HighX_MediumLow
9. Cost of Research	$_{\rm High > $500K}$ _X_ Medium = \$150K - \$500K _ Low < \$150K
10. Potential Organization(s) to Conduct Research	Consultant or academia
11. Ease of Implementation	Easy _X_MediumDifficult
If medium or difficult, list key	Issues:
implementation issues.	Complexity of the problem; methodological issue probably involved.
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 14
3. Title	Review of current GIS Methods and data for "hot spot" analysis
4. Project Statement	Review and describe the use of GIS technology in identifying safety "hot spots" in the rail mode.
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next to	Transit-oriented Communities
the applicable area(s).	_X Data Requirements High Speed Rail
6. Relationship to Current	X_New Supplemental (list organization & title of current
Research	research)
7. Potential Benefit(s) of	State-of-the-art methods will be made available for use by various
Identified Research Need Area	agencies to remedy safety problems. Benefits will be long-term.
8. Research Need Urgency	HighMediumX_Low
9. Cost of Research	$High > $500K _X_Medium = $150K - $500KLow < $150K$
10. Potential Organization(s) to Conduct Research	FRA
	X Easy Medium Difficult
11. Ease of Implementation If medium or difficult, list key	_X_Easy Medium Difficult Issues:
implementation issues.	issues.
implementation issues.	
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 15
3. Title	Investigate safety performance of grade crossings using microsimulation
4. Project Statement	The industry currently uses statistical methods to evaluate safety performance of grade crossings. The potential use of microsimulation for safety evaluation should be investigated. This method would allow consideration of various scenarios, such as traffic flow response to shared corridor rail operations (for example).
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities _X Data Requirements _X High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Microsimulation is a cost-effective method for stakeholders to evaluate the impact of environments and users on grade crossing safety performance and operation.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	$X_High > 500K$ Medium = $$150K - $500K$ Low < $$150K$
10. Potential Organization(s) to Conduct Research	TRB, AASHTO, and academia
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy Medium X_ Difficult Issues: Development of new microsimulation methods, including calibration and validation, would require significant effort and real-world data.
12. Other Comments	

1. Research Needs Area	Traffic Patterns (TP)
2. Research Topic Area/Number	TP - 16
3. Title	Best methods for linkage/sharing of crossing data, traffic data, and collision data among stakeholders (agencies, industry, and public)
4. Project Statement	Data involving railroad crossings currently resides in numerous disconnected databases, within a variety of agencies and companies. Data completeness is an issue for most databases, and depends on the data owner. Improved methods and tools for sharing data among stakeholders should be investigated and piloted.
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next to the applicable area(s)	Transit-oriented Communities X_ Data Requirements
the applicable area(s)	High Speed Rail
6. Relationship to Current	_XNewSupplemental (list organization & title of current
Research	research)
7. Potential Benefit(s) of Identified Research Need Area	Availability of current, accurate, and complete data supports good decisions for any stakeholder considering options for safety improvements, consolidations, or traffic separation. Benefits will be long-term.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	$X_High > $500K$ Medium = $$150K - $500K$ Low < $$150K$
10. Potential Organization(s) to Conduct Research	FRA
11. Ease of Implementation	EasyMediumX_Difficult
If medium or difficult, list key	Issues: Sharing data among disparate organizations is a difficult
implementation issues.	proposition that includes institutional and technical challenges.
12. Other Comments	

Topic No.	Research Need Title
NTO-1	Alternative Sensors and Warning Systems for Vital Applications
NTO-2	Pedestrian, Non-Motorized and Limited Mobility Treatments
NTO-3	On-Track Vehicle Detection
NTO-4	Effectiveness of LED Enhanced Grade Crossing Traffic Signs
NTO-5	Minimum Traffic Control Devices for High-speed Train (HST, formerly known as HSR) Highway-Rail Grade Crossings (HRCG)
NTO-6	Enhanced Commercial Systems to Improve HRGC Safety
NTO-7	Signals Near Grade Crossings
NTO-8	Lower Cost Active and Passive Warning Systems
NTO-9	Use of Wayside Horns at HRGC on HST lines
NTO-10	Remote Health Monitoring and Regulatory Relief
NTO-11	Grade Crossing Safety Effectiveness Evaluation
NTO-12	Use of PTC in HRGC Applications
NTO-13	Use of Supplemental Surveillance at HRGC on HST lines
NTO-14	Evaluate alternative power options for remote sensing
NTO-15	Standard Traffic Signals at Highway-Rail Grade Crossings

New Technology Opportunities Research Needs

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-1
3. Title	Alternative Sensors and Warning Systems for Vital Applications
4. Project Statement	 Perform an evaluation to determine what sensors will be reliable, maintainable and cost-effective. Perform an evaluation on the communication system Warning system display will require human factors study.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors Transit-oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Improve safety and security
8. Research Need Urgency	_X_ High) Medium Low
9. Cost of Research	High >\$500KXMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA, FHWA
11. Ease of Implementation	_X_Easy Medium _X_Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-2
3. Title	Pedestrian, Non-Motorized and Limited Mobility Treatments
4. Project Statement	 Identify and evaluate the effectiveness of new and existing technology on active and passive warnings (in conjunction with barriers and channelization, including 2nd train and variable speed approaches) on the basis of: Human detection/recognition and compliance Cost to install and maintain Energy efficiency Reliability Develop guidance for the design of: Sidewalk, pathways and station approaches Line of route approaches Quiet Zones
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors _X_ Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Improve Safety
8. Research Need Urgency	_X_ High Medium Low
9. Cost of Research	High >\$500KXMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	Volpe, Contractor, States
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy _X_ Medium Difficult Issues
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-3
3. Title	On-Track Vehicle Detection
4. Project Statement	Identify and research detection alternatives for on-track vehicles that transverse highway-rail grade crossings.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Safety Crossing integrity
8. Research Need Urgency	_X_ High Medium Low
9. Cost of Research	$_{\rm High} > 500K _X Medium = $150K - $500K _ Low < $150K$
10. Potential Organization(s) to Conduct Research	FRA, FHWA
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-4
3. Title	Effectiveness of LED Enhanced Grade Crossing Traffic Signs
4. Project Statement	Current retroreflective traffic control signs at grade crossings need to be more conspicuous to compete with driver inattention and distractions from ambient lighting and signage. Evaluation of the effectiveness of LED enhanced signs is needed. This includes STOP, YIELD, Crossbuck and DO NOT STOP ON TRACK signs. Evaluation to include conspicuity, 24/7 operation vs. train or vehicle activation, 24/7 vs. nighttime only, driver behavior and compliance.
5. Cross-cutting Areas	_X_ Human Factors
Please mark a mark an X next to the applicable area(s).	 Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Reduction of violations and crashes
8. Research Need Urgency	High _X_ Medium Low
9. Cost of Research	$_{\rm medium} = $150K - $500K _X_box < $150K$
10. Potential Organization(s) to Conduct Research	FRA, FHWA, University, Contractor, and Volpe
11. Ease of Implementation If medium or difficult, list key implementation issues.	_X_ Easy Medium Difficult Issues:
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-5
3. Title	Minimum Traffic Control Devices for High-Speed Train (HST, formerly known as HSR) HRGC
4. Project Statement	Research is intended to develop the risk management model to evaluate the effectiveness of 4QG vs. physical barrier gates on HST corridors. The model should include train speed, type of rail equipment, AADT (vol. per lane), and roadway speed at a minimum.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next	Transit-oriented Communities
to the applicable area(s).	Data Requirements
	X High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Essential piece of information for traffic control policy decisions.
8. Research Need Urgency	_X_ High Medium Low
9. Cost of Research	High >\$500K _X_ Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA, Volpe, University
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key	
implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-6
3. Title	Enhanced Commercial Systems to Improve HRGC Safety
4. Project Statement	 Integrate HRGC inventory into GPS maps Identify at-grade vs. grade separated HRGC Identify humped crossings (comm. vehicles) How do we implement with GPS unit mfgs? Require this information in buses, comm. vehicles and hazmat (vehicles requiring a CDL license)
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors _X_ Transit-oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Improved road user behavior at HRGC
8. Research Need Urgency	_X_ High (very valuable) Medium Low
9. Cost of Research	High >\$500KXMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FMCSA; Contractor
11. Ease of Implementation	EasyMedium _X_Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Provide in 2010 once the inventory is updated

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-7
3. Title	Signals Near Grade Crossings
4. Project Statement	 Analyze crash data to determine impact of signalized intersection proximity on crash rates Identify effectiveness of and warrants for use of Preemption (alone) Preemption with active DO NOT STOP ON TRACKS sign Preemption with pre-signal Queue cutter or active DO NOT STOP ON TRACKS sign Identify recommended practice addressing: Min-max clear storage distance for pre-signals and queue cutters Identify known problems with each device potentially limiting effectiveness of treatments and countermeasures Identify key design features such as timing plans and signal indications
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	New _X_ Supplemental (list organization & title of current research) – TCRP Report 69
7. Potential Benefit(s) of Identified Research Need Area	Addresses the most critical factors causing collisions – recurrent queues across tracks
8. Research Need Urgency	_X_ High (very valuable) Medium Low
9. Cost of Research	High >\$500KXMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	_X_ Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues: Deals with application of readily available existing technology
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-8
3. Title	Lower Cost Active and Passive Warning Systems
4. Project Statement	 Develop technologies that are adaptable Communication systems that are easily deployable and fail safe Detect train and convey to road user Define life-cycle cost elements
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	New X Supplemental (list organization & title of current research) Canada, UK, other countries
7. Potential Benefit(s) of Identified Research Need Area	Safety Benefactors - Highway agencies, communities
8. Research Need Urgency	_X_ High (very valuable) Medium Low
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	Volpe, FRA, contractors
11. Ease of Implementation	_X_ Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Would improved technologies help since the last time this was researched?

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-9
3. Title	Use of Wayside Horns at HRGC on HST lines
4. Project Statement	• Does the speed of the train above 80 mph mandate the use of wayside horns?
	• Is the locomotive horn an effective warning device at speeds greater than 80 mph?
5. Cross-cutting Areas	_X_ Human Factors
Please mark a mark an X next to	Transit-oriented Communities
the applicable area(s).	Data Requirements
	X High Speed Rail
6. Relationship to Current Research	X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Increased safety at HRGC on HST lines
8. Research Need Urgency	
9. Cost of Research	High > $$500K$ Medium = $$150K - $500K$ _XLow < $$150K$
10. Potential Organization(s) to Conduct Research	FRA, Volpe, University
11. Ease of Implementation	_X_ Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Look at TC research

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-10
3. Title	Remote Health Monitoring and Regulatory Relief
4. Project Statement	 Identify reliability requirements for data elements that can be monitored and have the potential to be used for regulatory relief Help build case for regulatory relief from manual periodic inspection for those elements Research and gather experimental/historical data to determine and justify proper level on regulatory relief from 30-day inspections at sites equipped with 7/24 monitoring. Use a few different sites on monitoring options or assessments
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors Transit-oriented Communities X_ Data Requirements High Speed Rail
6. Relationship to Current Research	X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Improved safety Reduced inspection manual inspection costs
8. Research Need Urgency	_X_ High (very valuable) Medium Low
9. Cost of Research	High > $$500K _X_Medium = $150K - $500KLow < $150K$
10. Potential Organization(s) to Conduct Research	FRA, FHWA
11. Ease of Implementation	Easy _X_ Medium Difficult
If medium or difficult, list key implementation issues.	Issues: Regulatory and industry acceptance
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-11
3. Title	Grade Crossing Safety Effectiveness Evaluation
4. Project Statement	Evaluate the generic data element needs to determine the effectiveness and compliance of new grade crossing treatments and warning devices. Identify what are most valuable to collect to understand grade crossing safety.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Consistency of data reporting Increased safety Reduced costs
8. Research Need Urgency	High (very valuable) _X Medium Low
9. Cost of Research	High > $500K$ _XMedium = $150K - 500K$ Low < $150K$
10. Potential Organization(s) to Conduct Research	FRA, FHWA
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy _X_ Medium Difficult Issues: Industry and government coordination.
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-12
3. Title	Use of PTC in HRGC Applications
4. Project Statement	Integrate PTC into IEEE 1570 for traffic signal preemption, blocked crossing, alternate route messaging
5. Cross-Cutting Areas Please mark a mark an X next to the applicable area(s)	 Human Factors Transit-oriented Communities X_ Data Requirements High Speed Rail
6. Relationship to Current Research	X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Improved safety, preemption Operation and mobility
8. Research Need Urgency	High (very valuable) _X Medium Low
9. Cost of Research	High > $$500K _X_Medium = $150K - $500KLow < $150K$
10. Potential Organization(s) to Conduct Research	Joint AREMA Committees 36 and 39
11. Ease of Implementation	Easy Medium _X_ Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Integrates ITS required protocol/interface into PTC system.

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-13
3. Title	Use of Supplemental Surveillance at HRGC on HST lines
4. Project Statement	 Should supplemental surveillance at HRGC be required where train speeds are 80 mph or greater? How should the information be used; tied into PTC and cab display for speed reduction or train stop securing the crossing for the duration of the approach reducing the collision risk/severity Identify surveillance technologies and trade-offs Video Loops Radar Other?
5. Cross-Cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors Transit-Oriented Communities Data Requirements X_ High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Increased safety at HRGC on HST corridors
8. Research Need Urgency	High (very valuable) _X Medium Low
9. Cost of Research	High > $$500K _X_Medium = $150K - $500KLow < $150K$
10. Potential Organization(s) to Conduct Research	FRA, Volpe
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy Medium _X_ Difficult Issues:
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-14
3. Title	Evaluate alternative power options for remote sensing
4. Project Statement	Research is needed to identify and evaluate alternatives to commercial electrical power for remote sensing locations.
5. Cross-Cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Enables use of remote sensing in areas where remote sensing would not otherwise be possible
8. Research Need Urgency	High (very valuable) Medium _X Low
9. Cost of Research	High > $500K$ Medium = $150K - 500K$ _X_ Low < $150K$
10. Potential Organization(s) to Conduct Research	FRA/FHWA
11. Ease of Implementation If medium or difficult, list key implementation issues.	_X_ Easy Medium Difficult Issues:
12. Other Comments	

1. Research Needs Area	New Technology Opportunities (NTO)
2. Research Topic Area/Number	NTO-15
3. Title	Standard Traffic Signals at Highway-Rail Grade Crossings
4. Project Statement	Perform human factors study to determine the effectiveness of standard traffic control signals versus current active flashers and effect on driver behavior/compliance
5. Cross-cutting Areas	_X_ Human Factors
Please mark a mark an X next to	Transit-oriented Communities
the applicable area(s).	Data Requirements
	High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified	Better driver compliance with signals
Research Need Area	Lower installation cost
	Lower maintenance cost/transfer to city traffic engineers
8. Research Need Urgency	High (very valuable) Medium _X Low
9. Cost of Research	High > $$500K$ Medium = $$150K - $500KX_Low < $150K$
10. Potential Organization(s) to Conduct Research	FHWA, University
11. Ease of Implementation	Easy MediumX_ Difficult
If medium or difficult, list key	Issues:
implementation issues.	
12. Other Comments	New low energy LEDs allow for less power consumption on batteries and better reliability not previously attainable.

Topic No.	Research Need Title
RE-1	Data Needs for Proactive Enforcement
RE-2	Collecting and Analyzing Trespassing Data
RE-3	Evaluation of Photo Enforcement at railroad grade crossings
RE-4	No Train Horn Crossings
RE-5	National Campaign for Targeted Seasonal Enforcement Programs
RE-6	Grade crossing crash data analysis
RE-7	Effectiveness of Various Types of Civil Penalties: HRGX Violations
RE-8	Judicial Education
RE-9	Motorist Expectations: Train and Crossing Operations
RE-10	Impact of Locomotive Horn Rule Implementation
RE-11	Quiet Zone Regulations and Signage

Regulation and Enforcement Research Needs

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area/Number	RE-1
3. Title	Data Needs for Proactive Enforcement
4. Project Statement	There is a need to work with a cross-section of stakeholders (including HRGX researchers, local law field-enforcement and administrative officers) to determine the data elements needed to enable proactive enforcement efforts. There is a particular need to inform the upcoming Grade Crossing Inventory Update.
	There is also a need to automate many of the data searches and sorts from FRA, railroad, and highway databases to lessen the burden on law enforcement and other safety practitioners to pinpoint hotspots and target enforcement opportunities.
	The data would be used to determine the opportunities for more-targeted enforcement and to assess the quantitative effectiveness of actions implemented.
5. Cross-cutting Areas	 Human Factors Transit-oriented Communities X_ Data Requirements High Speed Rail
6. Relationship to Current Research	X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Short term benefits in reduction of violations, crashes.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	High >\$500KXMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA/Volpe, International Assn. of Chiefs of Police
11. Ease of	Easy _X_MediumDifficult
Implementation	Issues: Partly contingent on Inventory update; gathering information is relatively straightforward; more challenging to get information from railroad; potentially more challenging to get disparate databases coordinated (GX 32 and other datums).
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area/Number	RE-2
3. Title	Collecting and Analyzing Trespassing Data
4. Project Statement	Upgrade existing trespasser data collection to include sufficient definitions of the term "trespassed."
	Provide effective guidelines for mode laws for consistent nationwide application.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X Human Factors Transit-oriented Communities _X Data Requirements _X High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Provide useful and sufficient data to develop and identify trespasser problems/issues that will further provide development of model law for local and state adoption.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	RITA/Volpe
11. Ease of Implementation	EasyX_MediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area/Number	RE-3
3. Title	Evaluation of Photo Enforcement at railroad grade crossings
4. Project Statement	Study the benefits of traffic safety and evaluate the effectiveness of photo enforcement in reducing crossing violations by motorists. Also develop model laws, guidelines, and procedures to provide standardized applications nationwide.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X Human Factors Transit-oriented Communities _X Data Requirements _X High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Actual data to verify that sustained, increased enforcement does in fact chance motorist behavior and develop public acceptance and buy-in for photo enforcement.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	
10. Potential Organization(s) to Conduct Research	FRA, FHWA, NHTSA, IACP, NCHRP, TRB
11. Ease of Implementation	Easy _X_MediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Could be combined with other model law guideline research.

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area/Number	RE-4
3. Title	No Train Horn Crossings
4. Project Statement	Each highway approach to every public and private highway-rail grade crossing within a quiet zone is required to have a no train horn advance warning sign. Although each sign is required to conform to the standards in the MUTCD, increased signage may be required to adequately warn certain drivers. Can increased signage counter balance the lack of a train horn? Should there be regulatory guidance necessary?
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X Human Factors _X Transit-oriented Communities _X Data Requirements High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Enhanced motorist awareness of no-train-horn crossing – an "expected" audible warning may not be available.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA, FHWA
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues: Development of sign, review by NUTCD, rulemaking by FHWA to modify W10-1, posting of new sign.
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area / Number	RE-5
3. Title	National Campaign for Targeted Seasonal Enforcement Programs
4. Project Statement	Issues/challenges: Many highway safety concerns (seat belts, drunk driving, child safety seats) have seasonal targeted outreach and enforcement programs. There is no analogous program for HRGX safety and trespass prevention activities.
	Purpose: Raise awareness of HRGX and trespass prevention,
	Outcome: increase officer awareness and precision of enforcement practices.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 X_ Human Factors Transit-oriented Communities X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	See above.
8. Research Need Urgency	HighX_MediumLow
9. Cost of Research	High >\$500KMedium = \$150K - \$500KX_Low < \$150K
10. Potential Organization(s) to Conduct Research	NHTSA, OLI, IACP, AAMVA, AAA, other organizations with successful public awareness campaigns.
11. Ease of Implementation	Easy Medium X Difficult
If medium or difficult, list key implementation issues.	Issues: Funding will be a challenge in time of limited resources.
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area / Number	RE-6
3. Title	Grade crossing crash data analysis
4. Project Statement	The purpose of the research is to collect and study/analyze national crossing crash data to identify major causes of HRGX crashes (gate violations, deficient controls, geometric conditions, etc.). The result of the study would allow policy to focus on most effective enforcement management practices which would lead to most effective results. This would also help state/local agencies to identify safety improvement countermeasures and to identify any needed enhancement of current laws and regulations.
5. Cross-Cutting Areas Please mark a mark an X next to the applicable area(s)	_X_ Human Factors Transit-Oriented Communities _X Data Requirements _X High Speed Rail
6. Relationship to Current Research	_XNewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Improve HRGX data collection for USDOT crossing databases, as well as analysis and practices. Improve HRGX safety countermeasures (traffic control, geometric improvements, policy enforcement, practice and results, education, and strategy.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	$_{High} > 500K$ _X_ Medium = $150K - 500K$ _ Low < $150K$
10. Potential Organization(s) to Conduct Research	FRA, FHWA, NCHRP, TRB, NHTSA
11. Ease of Implementation If medium or difficult, list key implementation issues	_X_Easy Medium Difficult Issues: Data collection, if current database provides insufficient data for the study.
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area / Number	RE-7
3. Title	Effectiveness of Various Types of Civil Penalties: HRGX Violations
4. Project Statement	Challenge: Are monetary penalties the only possible method? What about non-monetary penalties (license suspension, public service, etc.)? What are the relative effectiveness levels? Purpose: To determine enforcement methods that are more cost- effective in terms of time and money; also to determine potential deterrence effects. Expected outcome To reduce HRGX violations
5. Cross-Cutting Areas	X_ Human Factors
Please mark a mark an X next	Transit-Oriented Communities
to the applicable area(s)	_X_ Data Requirements
	High Speed Rail
6. Relationship to Current Research	_XNewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of	Measurable changes in #s of collisions, measurable and non-
Identified Research Need	measurable changes in numbers of close calls; short-term.
Area	
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	<u>High > $500K$ _X_ Medium = $150K - 500K$ _ Low < $150K$</u>
10. Potential Organization(s)	FRA, Volpe, American Assn. of Motor Vehicle Administrators, AAA
to Conduct Research	
11. Ease of Implementation	EasyMedium XDifficult
If medium or difficult, list	Issues: Depends upon whether it is federally-mandated or voluntary;
key implementation issues	State compliance may vary.
12 Other Community	
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic	RE-8
Area/Number	
3. Title	Judicial Education
4. Project Statement	How do the citations issued in the field translate into convictions? What types of actions do the courts take? How do prosecutors' recommendations and judges' understanding of the safety consequences influence judicial decisions. Purpose: To provide information that informs judges, to give them a clearer understanding of the highway-safety consequences of their decisions and their impact on state and national HRGX and trespass- prevention safety programs.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next	Transit-oriented Communities
to the applicable area(s).	Data Requirements
	High Speed Rail
6. Relationship to Current	X_ New Supplemental (list organization & title of current
Research	research)
7. Potential Benefit(s) of	Clearer, more consistent, more uniform judicial decisions; more
Identified Research Need	uniform treatment of violation of national-level safety concerns.
Area	
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	$\underline{-High > $500K} \qquad \underline{Medium = $150K - $500K} \qquad \underline{X_Low < $150K}$
10. Potential Organization(s)	FMCSA, National Judicial College; National Association of
to Conduct Research	Proscecuting Attorneys; OLI;
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list	Issues: Would expand upon FMCSA's efforts, just add more subject
key implementation issues.	area; consider looking at other agencies' best practices.
J	
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area / Number	RE-9
3. Title	Motorist Expectations: Train and Crossing Operations
4. Project Statement	Motorist expectations and operational conditions affect motorist behaviors at HRGX. Basically, why do people try to beat the train? What are motorist expectations and their resulting behaviors that lead to appropriate (and inappropriate) actions at HRGX? And, is there a difference between commercial and non-commercial drivers? Address such issues as train speed; roughness of crossing; type and complexity of gates, lamps, and other traffic control devices; reliability of TCDs; train length, blocked crossings.
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next	_X Transit-oriented Communities
to the applicable area(s)	Data Requirements
	_X High Speed Rail
6. Relationship to Current	New _X Supplemental (list organization & title of current
Research	research) Ongoing work on warning signal reliability.
7. Potential Benefit(s) of Identified Research Need Area	Systematic assessment of crash causation and more effective prevention strategies (HRGX safety equivalent to the FMCSA/NHTSA Large Truck Crash Causation Study??); get railroads more involved in effective maintenance of crossing systems; assist law enforcement in writing citations based on quality information.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	$X_High > $500K$ Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FRA/FHWA/FMCSA/NHTSA/Volpe
11. Ease of Implementation	EasyMediumDifficult
If medium or difficult, list	Issues: The challenge of implementation may be closely tied to the
key implementation issues.	availability of funds to support specific programs.
12. Other Comments	Any new regulations would probably fall within FRA's area of responsibility.

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area / Number	RE-10
3. Title	Impact of Locomotive Horn Rule Implementation
4. Project Statement	Review effectiveness of locomotive horn rule in terms of implementation ease for communities and FRA. What are the community impacts and challenges? Does the rule need to be changed? Why is the implementation limited?
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next	_X Transit-oriented Communities
to the applicable area(s)	_X_ Data Requirements
	High Speed Rail
6. Relationship to Current Research	_XNewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Potential to streamline and standardize quiet zone process.
8. Research Need Urgency	High _X_MediumLow
9. Cost of Research	
10. Potential Organization(s)	FRA, FHWA
to Conduct Research	
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list	Issues:
key implementation issues	
12. Other Comments	

1. Research Needs Area	Regulations and Enforcement (RE)
2. Research Topic Area / Number	RE-11
3. Title	Quiet Zone Regulations and Signage
4. Project Statement	Review effectiveness of grade crossing advance warning sign (W10- 1). Determine if placement and message should be modified for quiet zone implementation.
5. Cross-cutting Areas	_X Human Factors
Please mark a mark an X next	_X Transit-oriented Communities
to the applicable area(s).	_X Data Requirements
	High Speed Rail
6. Relationship to Current	_XNewSupplemental (list organization & title of current
Research	research)
7. Potential Benefit(s) of	Enhanced motorist awareness of no-train-horn crossing – an
Identified Research Need	"expected" audible warning may not be available
Area	
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	$_{\rm High} >$ \$500K $_{\rm X}$ Medium = \$150K - \$500K $_{\rm Low} <$ \$150K
10. Potential Organization(s)	FRA, FHWA
to Conduct Research	
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list	Issues:
key implementation issues	
12. Other Comments	

Topic No.	Research Need Title
EPA-1	Evaluation of Social Media Outreach
EPA-2	Evaluation of Existing Education and Outreach Strategies
EPA-3	Crossing Consolidation Education
EPA-4	Evaluate Effectiveness and Potential Motorist & Pedestrian Signage and Treatments
EPA-5	Evaluate the Effectiveness of Mobile Warning Devices When Approaching Grade Crossings
EPA-6	Evaluation of New Media
EPA-7	Effectiveness of Drivers Educations
EPA-8	Analysis of trespass patterns using GPS technology
EPA-9	Drivers Educations – Computer Based Training
EPA-10	Development of Near Miss Data System (Pilot)
EPA-11	Addressing Complacency of Frequent Crossing Users
EPA-12	Confidential Close Call Reporting System
EPA-13	Trespassing Behavior Analysis
EPA-14	Evaluating existing and potential driver signage and treatment effectiveness

Education and Public Awareness Research Needs

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-1
3. Title	Evaluation of Social Media Outreach
4. Project Statement	Use of new media applications offers the opportunity to reach a broader audience with minimum resources. Traditional outreach has a limited audience. There is a need to identify, assess, and test the effectiveness of social media (i.e. internet tools, social networking sites, text messages, email and podcast) as an outreach tool for public rail safety education. Survey and testing should include number of users and absorption of message.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s)	 X Human Factors Transit-oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Collection of data that has never before been utilized or captured Improve targeting of future educational efforts Better utilization of limited resources Innovative method to further reduce grade crossing and trespass incidents
8. Research Need Urgency	XHighMediumLow
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FRA, Academia, Consultants, Research firms
11. Ease of Implementation	_X_Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area / Number	EPA-2
3. Title	Evaluation of Existing Education and Outreach Strategies
4. Project Statement	It continues to be difficult to quantify the role that education plays in preventing incidents on active rail lines. It is crucial to assess the impact and effectiveness of existing education and outreach strategies in changing public behavior. This research should explore media message styles, methods, locations, etc. that are most appropriate for age groups or other demographics and attitudinal characteristics.
5. Cross-Cutting Areas Please mark a mark an X next to the applicable area(s)	_X_ Human Factors Transit-Oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Identify effective current education methods to better target intended audience. Further reductions in grade crossing and trespass incidents.
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	High >\$500KMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	Academia, consultants, research firms
11. Ease of Implementation	EasyX_MediumDifficult
If medium or difficult, list key implementation issues.	Issues: Collection of data Designing research study
12. Other Comments	This was proposed in 1995 and 2003. 2003 RNW page 68
1. Research Needs Area	Education and Public Awareness (EPA)
---	---
2. Research Topic Area/Number	EPA-3
3. Title	Crossing Consolidation Education
4. Project Statement	Currently, many communities are unaware of the benefits of public/private partnerships regarding grade crossing consolidation and grade separation funding. Research is needed to determine effective methods to educate community leaders in this area.
5. Cross-cutting Areas	Human Factors
Please mark a mark an X	Transit-oriented Communities
next to the applicable	Data Requirements
area(s)	_X_ High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of	Increased community safety
Identified Research Need Area	Forges better partnerships
7 fieu	Long term safety benefits
	Mutual benefit among cross-sectional groups (FRA, industry, community, DOT, law enforcement, etc.)
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	High >\$500KMedium = \$150K - \$500KX_Low < \$150K
10. Potential Organization(s) to Conduct Research	Industry and labor
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	Links to new and innovative public outreach methods.

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area / Number	EPA-4
3. Title	Evaluate effectiveness and potential motorist & pedestrian signage and treatments
4. Project Statement	Current signage may be misunderstood or overlooked by motorist and pedestrian traffic. Research should assess the effectiveness of existing and potential new driver and pedestrian signage/treatments on or around railroad tracks and station platforms including:
	• identification of distractions (i.e., mp3 players, visual pollution/sign saturation, cell phones)
	• examination of pedestrian signage needs versus motorist signage needs
	• testing of existing and new signage/treatments (e.g. pavement LEDs, colored pavement, etc.)
	• identification of best designs for consideration in MUTCD
5. Cross-Cutting Areas Please mark a mark an X next to the applicable area(s).	 _X_ Human Factors _X_ Transit-Oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Further reductions in motorist and pedestrian grade crossing and trespass incidents Increased motorist and pedestrian awareness of public rail safety Improved compliance to signs
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FHWA partnership
11. Ease of Implementation	EasyMedium _X_Difficult
If medium or difficult, list	Issues:
key implementation issues.	Design of new signage, changes in signage, MUTCD compliance
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area / Number	EPA-5
3. Title	Evaluate the effectiveness of Mobile Warning Devices when approaching grade crossings
4. Project Statement	Current signage may be misunderstood or overlooked by motorist and pedestrian traffic. Utilization of current technology (i.e. cell phones, GPS, PDAs, etc.) as mobile warning devices can offer additional alerts. The potential exists to offer a cost-effective alternative to traditional upgrade of warning systems.
	Research the effectiveness of mobile warning devices as means to alert drivers and pedestrians within close proximity of active rail lines. Determine if warning/alerts are received and effective.
5. Cross-cutting Areas	_X_ Human Factors
Please mark a mark an X next to the applicable area(s).	 _X_ Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of	Active warning alert
Identified Research Need Area	Reduction in collisions at crossings
	Long term benefit to general public and industry
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	Easy _X_ Medium Difficult
If medium or difficult, list key implementation issues.	Issues: Integration with existing equipment The challenge to using this technology includes driver distraction.
12. Other Comments	Related to DPE-02-2003 page 66

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-6
3. Title	Evaluation of New Media
4. Project Statement	Assess impact and effectiveness of new media (i.e., internet tools, social networking sites, text messages, email, and podcast) outreach programs in public rail safety awareness including grade crossings and trespass safety.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s)	_X_ Human Factors Transit-oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Better targeting of intended audience Provide additional tools for messaging Further reductions in grade crossing and trespass incidents.
8. Research Need Urgency	X_HighMediumLow
9. Cost of Research	High > $$500K$ Medium = $$150K - $500K$ Low < $$150K$
10. Potential Organization(s) to Conduct Research	FRA, Academia, Consultants, Research firms
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-7
3. Title	Effectiveness of Drivers Educations
4. Project Statement	Research if the type and amount of drivers education correlates with the number and types of collisions.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Determine if educational program effective.
8. Research Need Urgency	HighMediumX_Low
9. Cost of Research	$X_ High > $500K$ Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues: Hard to collect needed information.
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-8
3. Title	Analysis of trespass patterns using GPS technology
4. Project Statement	 Develop technology that would allow crewmember to use GPS plotting to target trespass hot spots and determine its effectiveness over time
	2. Collect and report real time data
	3. More accurately target of hot zooms for enforcement
	4. Rapid response and prevention for law enforcement
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s)	Human Factors Transit-oriented Communities _X Data Requirements High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Same as 4 under project statement
8. Research Need Urgency	High XMediumLow
9. Cost of Research	
10. Potential Organization(s) to Conduct Research	Railroad and labor groups
11. Ease of Implementation	Easy XMediumDifficult
If medium or difficult, list key implementation issues.	Issues: Potential cost of technology.
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area / Number	EPA-9
3. Title	Drivers Educations – Computer Based Training
4. Project Statement	Collect and analyze existing data provided by OL Canada from web based training. Determine effectiveness of online training V/S in class learning potential for pilot USA application.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X_ Human Factors Transit-oriented Communities _X_ Data Requirements High Speed Rail
6. Relationship to Current Research	New _X Supplemental (list organization & title of current research) OL Canada
7. Potential Benefit(s) of Identified Research Need Area	Cost effective method to reach entire novice driver population.
8. Research Need Urgency	HighXMediumLow
9. Cost of Research	High >\$500KMedium = \$150K - \$500KX_Low < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	_X_Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area / Number	EPA-10
3. Title	Development of Near Miss Data System (Pilot)
4. Project Statement	
	 Assess the use of near miss data to identify hot zones using FRA proposed mandatory reporting to target education efforts.
	2. Determine collection methods of near miss incidents and ensure consistency of data collection to be shared among cross-section OLI/FRA/Railroad/DOT/Law enforcement
	 Lower incidents that results in injuries and fatalities and promote non-filtered dissemination of data between interested parties.
5. Cross-cutting Areas Please mark a mark an X next to the applicable	 Human Factors X_ Transit-oriented Communities X_ Data Requirements
area(s)	High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of	Decreased loss of life to members of the community.
Identified Research Need Area	Improve productivity for all agencies.
Area	Reallocate money spent in litigation and post accident evaluation and reporting.
	Short and long term advantages.
8. Research Need Urgency	_X_ High Medium Low
9. Cost of Research	High >\$500K Medium = \$150K - \$500KX_ Low < \$150K
10. Potential Organization(s) to Conduct Research	FRA coordination with host railroad and labor organization.
11. Ease of Implementation	Easy _X_MediumDifficult
If medium or difficult, list key implementation issues.	Issues:
	The ability to cross communicate the data upfeed.
	Dependent on FRA requiring near miss data collection.
12. Other Comments	2003 highway rail grade crossing research needs workshop needs HF, HF 06 pg 42 with emphasis on communication control.

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-11
3. Title	Addressing Complacency of Frequent Crossing Users
4. Project Statement	Assess the means to address the complacency of those who use the crossing regularly (commuters and local residents).
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s)	_X Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Reduction in collision New educational targeting
8. Research Need Urgency	HighX_MediumLow
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	EasyMedium _X_Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-12
3. Title	Confidential Close Call Reporting System
4. Project Statement	 A channel for communication to data input while maintaining autonomy Increased target of hot zone without any negative ramifications More accurate reporting
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s)	 Human Factors X_ Transit-oriented Communities X_ Data Requirements High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Increase honest fact based reporting Short and long term benefits
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	High >\$500K Medium = \$150K - \$500KX_ Low < \$150K
10. Potential Organization(s) to Conduct Research	RR and labor groups
11. Ease of Implementation	_X_Easy Medium Difficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-13
3. Title	Trespassing Behavior Analysis
4. Project Statement	Analyze why people are willing to take trespass risks on RR tracks in order to target specific education and outreach components for target audience.
5. Cross-Cutting Areas Please mark a mark an X next to the applicable	 _X_ Human Factors Transit-Oriented Communities _X_ Data Requirements
area(s)	High Speed Rail
6. Relationship to Current Research	_X_ New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Better indentify target audience. Allow for development of improved education programs.
8. Research Need Urgency	HighMediumX_Low
9. Cost of Research	High >\$500KX_ Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	Academia, research firms
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy _X_MediumDifficult Issues:
12. Other Comments	

1. Research Needs Area	Education and Public Awareness (EPA)
2. Research Topic Area/Number	EPA-14
3. Title	Evaluating existing and potential driver signage and treatment effectiveness.
4. Project Statement	Assess the effectiveness of existing and potential new signage/treatments including review of international signage, testing of new signage. Identify best designs for consideration by the MUTCD.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s)	_X_ Human Factors Transit-oriented Communities X Data Requirements High Speed Rail
6. Relationship to Current Research	_X_NewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	For the reduction in grade crossing and trespass incidents. Increase driver awareness.
8. Research Need Urgency	X_HighMediumLow
9. Cost of Research	$_X_High > $500K$ Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	FHWA
11. Ease of Implementation If medium or difficult, list key implementation issues.	Easy Medium X_Difficult Issues: Design of new signage Changes in signage
12. Other Comments	

Project No.	Research Need Title
II-1	Establishment of a Railroad/Transit Data Clearinghouse
II-2	Cost/Benefit Analysis of Grade Crossing Improvements
II-3	Synthesis to Evaluate How, When, and Where Human Perception Negatively Impacts Rail Safety
II-4	Institutionalize Evaluation as a Key component of Project/Program (countermeasure) Design and Implementation
II-5	Improved Effectiveness of Stakeholder Interaction
II-6	Identify Opportunities to Make Legislation and Regulations Across Jurisdictions Compatible, Meaningful and Up-to-Date

Institutional Issues Research Needs

1. Research Needs Area	Institutional Issues (II)
2. Research Topic Area/Number	II-1
3. Title	Establishment of a railroad/transit data clearinghouse
4. Project Statement	Development of a framework/architecture for integrating existing databases (e.g.: Federal, states, local, industry, insurance) in order to provide a more complete and robust source of information on risk management and mitigation to the surface transportation industry. Centralized, searchable
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	_X Human Factors Transit-oriented Communities _X Data Requirements High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Better information sharing Better identification of issues Improved safety of operations Improved consistence Faster translation of research into practice Improved ability to track of trends
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	$_{\rm High > \$500K}$ _X_ Medium = $\$150K - \$500K$ _ Low < $\$150K$
10. Potential Organization(s) to Conduct Research	TRB, USDOT
11. Ease of Implementation If medium or difficult, list key implementation issues.	_X_Easy Medium Difficult Issues:
12. Other Comments	

1. Research Needs Area	Institutional Issues (II)
2. Research Topic Area/Number	II-2
3. Title	Cost/benefit analysis of grade crossing improvements
4. Project Statement	Developing examples of how to conduct cost/benefit analyses of Federally funded grade crossing improvements under the Section 130 Program. Best practices review to establish recommended procedures for quantitatively evaluating improvements.
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	Human Factors Transit-oriented Communities X_ Data Requirements High Speed Rail
6. Relationship to Current Research	New _X Supplemental (various, including NCDOT)
7. Potential Benefit(s) of Identified Research Need Area	Making more efficient use of federal funds Informs decision-making for policy implementation
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	FHWA, FRA, States
11. Ease of Implementation If medium or difficult, list key implementation issues.	_X_Easy Medium Difficult Issues:
12. Other Comments	

1. Research Needs Area	Institutional Issues (II)
2. Research Topic Area / Number	II-3
3. Title	Synthesis to evaluate how, when, and where human perception negatively impacts rail safety.
4. Project Statement	 A synthesis to evaluate how, when, and where human perception negatively impacts safety. Identify what perceptions need adjusting because of extent of impacts to rail safety: The impact of sensationalizing suicide reporting by the media Local authorities, media and general public not understanding the difference between pedestrians and trespassers Lack of public awareness about dangers of trespassing on railroad right-of-way.
5. Cross-Cutting Areas Please mark a mark an X next to the applicable area(s).	XHuman Factors Transit-Oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Reduced intentional deaths on rail ROW. Reduced trespassing and unintentional deaths and injuries.
8. Research Need Urgency	_X_HighMediumLow
9. Cost of Research	High >\$500K Medium = \$150K - \$500KX_ Low < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	_X_EasyMediumDifficult
If medium or difficult, list key implementation issues.	Issues:
12. Other Comments	

1. Research Needs Area	Institutional Issues (II)
2. Research Topic Area / Number	II-4
3. Title	Institutionalize evaluation as key component of project/program (countermeasure) design and implementation.
4. Project Statement	Build "evaluation" into the planning stage of a project – so you can evaluate whatever you implement ("plan to evaluate" is built into the project). Quantitative evaluation to identify high payback effective interventions and key factors in success. Case studies and best practices?
5. Cross-cutting Areas	Human Factors
Please mark a mark an X next to the applicable area(s).	Transit-oriented Communities _X Data Requirements _X High Speed Rail
6. Relationship to Current Research	_X New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need	Ability to adjust mid-course to improve design and implementation
Area	Identify and Maximize potential benefit Informs future program decisions
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	High >\$500KMedium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	AASHTO, AAR, APTA, FRA, TRB, AREMA
11. Ease of Implementation	Easy _X_MediumDifficult
If medium or difficult, list key implementation issues.	Issues: Adds cost in the short-term, resistance due to being potential culture change for some organizations.
12. Other Comments	

1. Research Needs Area	Institutional Issues (II)
2. Research Topic Area / Number	II-5
3. Title	Improved effectiveness of stakeholder interaction
4. Project Statement	Role definition and best practices for communication and coordination among diverse stakeholders (e.g. regulators, railroads, locals, districts, standards setting bodies) for rail safety initiatives. Special attention to: o regional/local planning
	 crossing closures
	 pedestrian crossings
	o trespass
	o private crossings
	• Land development (research to get recommended regs, standards, and practices to address issues relating to land development for cooperative decision making that affect grade crossing and/or rail ROW.)
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors Transit-oriented Communities Data Requirements High Speed Rail
6. Relationship to Current Research	X_New Supplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need	Improved effectiveness of stakeholder interaction Improved efficiency
Area	Greater clarity on ownership of and roles and responsibilities for orphan issues (e.g. pedestrian crossings, trespass, private crossings)
	Highlighting conflicting mandates/goals/objectives and requirements for reconciliation
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	High >\$500KX_Medium = \$150K - \$500KLow < \$150K
10. Potential Organization(s) to Conduct Research	USDOT
11. Ease of Implementation	EasyMediumX_Difficult
If medium or difficult, list key implementation issues.	Issues: Diverse group of stakeholders with entrenched interests and well defined positions.
12. Other Comments	

1. Research Needs Area	Institutional Issues (II)
2. Research Topic Area/Number	II-6
3. Title	Identify opportunities to make legislation and regulations across jurisdictions compatible, meaningful and up to date
4. Project Statement	Identify what the purpose of the original legislation or regulation was. Does the problem still exist? Is the original legislation or regulation still relevant? Do other types of legislations or regulations conflict (noise abatement, air quality) and to what extent? How consistent is the approach across jurisdictional boundaries? Has the original legislation created new problems or unintended consequences?
5. Cross-cutting Areas Please mark a mark an X next to the applicable area(s).	 Human Factors X_ Transit-oriented Communities Data Requirements X_ High Speed Rail
6. Relationship to Current Research	_XNewSupplemental (list organization & title of current research)
7. Potential Benefit(s) of Identified Research Need Area	Streamlining of project implementation Fewer and more effective laws and regulations Reduction of legislative conflict
8. Research Need Urgency	_X_High Medium Low
9. Cost of Research	_X_High >\$500K Medium = \$150K - \$500K Low < \$150K
10. Potential Organization(s) to Conduct Research	
11. Ease of Implementation	EasyMedium _X_Difficult
If medium or difficult, list key implementation issues.	Issues: Legislative and regulatory inertia, long lead times and powerful coalitions needed.
12. Other Comments	